

United States District Court
District of Massachusetts

CIVIL No. 82-1672-S

ANNE ANDERSON, for herself, and as parent and next friend of **CHARLES ANDERSON**, and as Administratrix of the Estate of **JAMES ANDERSON**; **CHRISTINE ANDERSON**; **RICHARD AUFIERO**, for himself, and as parent and next friend of **ERIC AUFIERO**, and as Administrator of the Estate of **JARROD AUFIERO**; **LAUREN AUFIERO**; **DIANE AUFIERO**, for herself, and as parent and next friend of **JESSICA AUFIERO**; **ROBERT AUFIERO**; **KATHRYN GAMACHE**, for herself, and as parent and next friend of **AMY GAMACHE**; **TODD L. GAMACHE**; **ROLAND GAMACHE**; **PATRICIA KANE**, for herself, and as parent and next friend of **MARGARET KANE**; **KATHLEEN KANE**; **TIMOTHY KANE**; and **KEVIN KANE, Jr.**; **KEVIN KANE**; **DONNA L. ROBBINS**, for herself and as parent and next friend of **KEVIN ROBBINS**, and as Administratrix of the Estate of **CARL L. ROBBINS, III**; **MARY J. TOOMEY**, for herself and as next friend of **MARY EILEEN TOOMEY**, and as Administratrix of the Estate of **PATRICK TOOMEY**; **RICHARD J. TOOMEY**; **JOAN ZONA**, for herself, and as Administratrix of the Estate of **MICHAEL ZONA**; **RONALD ZONA**; **ANN ZONA**; **JOHN ZONA**; and **PAT ZONA**,
Plaintiffs

versus

CRYOVAC, Division of **W. R. GRACE & CO.**; **W. R. GRACE & CO.**; **JOHN J. RILEY COMPANY**, Division of **BEATRICE FOODS CO.**; **BEATRICE FOODS CO.**; and **XYZ Company(ies)**, Defendants

Deposition of **JOHN DROBINSKI**, taken on behalf of the Defendant pursuant to the applicable provisions of the Federal Rules of Civil Procedure, before Nancy L. Eaton, Notary Public in and for the Commonwealth of Massachusetts, at the offices of Hale & Dorr, 60 State Street, Boston, Massachusetts, on Thursday, December 26, 1985, commencing at 10:13 a.m.

NANCY L. EATON

Registered Professional Reporter

APPEARANCES

SCHLICHTMANN, CONWAY & CROWLEY,

by **JAN SCHLICHTMANN, Esquire,**
171 Milk Street, Boston, MA 02109, for the Plaintiffs.

HALE & DORR,

by **JEROME P. FACHER, Esquire,**
60 State Street, Boston, MA 02109, for Beatrice Foods.

FOLEY, HOAG & ELIOT,

by **AMY WOODWARD, Esquire,**
One Post Office Square, Boston, MA 02109,
for W. R. Grace & Co. and Cryovac, Division of W. R. Grace & Co.

LOWENSTEIN, SANDLER, BROCHIN, KOHL, FISHER, BOYLAN &

by **JAMES STEWART, Esquire,**
65 Livingston Avenue, Roseland, NJ 07068,
for Beatrice Foods.

I N D E XWitnessDirectCross

John Drobinski

By Mr. Stewart

4

By Mr. Facher

146

ExhibitsNo.Page

(None)

Stipulation

It is stipulated and agreed by and between counsel for the parties after the witness has read the deposition, it may be signed before any notary public, and the filing of the deposition may be waived. It is also stipulated and agreed by and between counsel for the parties that all objections except as to the form of the question and all motions to strike are reserved to the time of trial.

- - - - -

JOHN DROBINSKI,

having been duly sworn, testified as follows
in answer to direct interrogatories:

MR. STEWART: Would the reporter note the time, please?

THE REPORTER: I have 10:13.

MR. SCHLICHTMANN: We arrived a few minutes after ten at the receptionist. I would appreciate being informed prior to showing up here which room it is, which will certainly help me go right to the room. So if you can provide me a day in advance the number for the room. I don't like

1 to be punished for the fact I have to keep guessing
2 where the room is.

3 Q. (BY MR. STEWART) This is the deposition of
4 Mr. Drobinski in the case of Anderson against
5 Cryovac. Mr. Drobinski --

6 MS. WOODWARD: Can we put on the
7 record first of all what you told us before we
8 began the deposition about the outstanding
9 information, Jan?

10 MR. SCHLICHTMANN: Yes, in addition
11 to the material that has already been provided, we
12 still have outstanding which we owe you is all
13 photographs taken at the Grace and Beatrice sites
14 which are presently being copied, all the field
15 notes from Weston Geophysical from the Grace and
16 Beatrice sites which is presently being copied and
17 the basic seismic information the results of which
18 you have and the interpretive results of which you
19 have but the basic information Grace doesn't have,
20 Beatrice has and has been provided Beatrice, but
21 Grace does not have a copy. You will have that as
22 well. We should be able to do that today as well.

23 Q. (BY MR. STEWART) Mr. Drobinski, what's
24 your position with Weston?

1 A. Manager of the Geology Group at Weston
2 Geophysical.

3 Q. What does Weston Geophysical do?

4 A. Weston Geophysical is an earth science
5 consulting firm which does basically consulting in
6 all aspects of earth science.

7 Q. To a laymen what does earth science mean?

8 A. Basically study of the physical and
9 natural phenomena that happens with the earth:
10 Hydrology, seismology, geochemistry, oil-gas
11 exploration, groundwater exploration, geotechnical
12 studies for foundations, bridges, dams, things like
13 that. It would be relating, basically relating to
14 the earth, all those types of studies.

15 Q. What is your academic background that
16 helps you in your task as a manager of geology for
17 Weston Geophysical?

18 A. I have a Bachelor's degree in chemistry
19 and a Master's degree in geology.

20 Q. Would you give me the schools and the
21 years?

22 A. The school is Nasson College for Chemistry,
23 1969 and for geology is the University of
24 Queensland, 1976.

1 Q. When was it when you joined the staff at
2 Weston Geophysical?

3 A. I think it was early '77. I think that's
4 correct.

5 Q. How long has Weston Geophysical been
6 around as a firm prior to your joining them, do you
7 know?

8 A. They have been in business thirty years
9 now, so I have been there seven years, probably 23
10 years.

11 Q. Were you engaged to perform any tasks or
12 render any services in connection with the Anderson
13 against Cryovac lawsuit?

14 A. Yes, that's correct.

15 Q. Do you remember when you were engaged?

16 A. I think it was in late May or early June
17 of this year. The precise date I'm not aware of.

18 Q. Were you personally involved in the
19 discussions concerning the tasks to be performed?

20 A. No, I personally was not involved.

21 Q. Who from Weston Geophysical was involved
22 in those discussions?

23 A. I think it was one of the owners, Mr.
24 Vincent Murphy.

1 A. I believe it was someone associated with
2 Mr. Schlichtmann.

3 Q. What did they tell you whoever hired you
4 wanted you to do?

5 A. I believe, as I stated earlier, they
6 wanted us to conduct a site investigation to
7 determine, you know, if there was any contamination
8 at the site, what type of contamination and
9 basically, you know, do the field work associated
10 with that type of a study.

11 Q. What did you understand that you were
12 supposed to do, you Weston, were suppose to do on
13 the Riley site?

14 A. Basically to gather information regarding
15 the activities that had gone on at the Riley site.

16 Q. And how did you understand you were
17 supposed to gather this information?

18 A. I think using standard exploration and
19 geology procedures.

20 Q. At the time you had this first meeting
21 with Mr. Murphy and Mr. Imse I'll call it, had
22 either of those two people been to the Riley site?

23 A. I'm not sure. I don't have a direct
24 answer because they have been to the Riley site but

1 I'm not sure it was before or after this meeting.
2 I can't answer that question.

3 Q. Did they give you any specific fact
4 information about the Riley site at that first
5 meeting?

6 A. No, they did not.

7 Q. Did they have a copy of the complaint in
8 the lawsuit with them?

9 A. If they did, they did not show it to me.

10 Q. Have you ever seen a copy of the complaint
11 in the lawsuit?

12 A. I have not seen a full copy, no.

13 Q. You have seen portions of it?

14 A. I have just seen what I would call the
15 title page.

16 Q. Did Mr. Murphy give you any specific
17 instructions of what he wanted you to do further
18 after this first meeting?

19 A. No, he did not.

20 Q. Did he give you any data or any leads to
21 how you should go about investigating the
22 activities on the Riley site?

23 A. No.

24 Q. How about Mr. Imse? Did he tell you what

1 further you should be doing?

2 A. No, he did not.

3 Q. What did you understand when this meeting
4 was over that you were supposed to do next in
5 connection with the Weston investigation?

6 A. I guess our next step was to evaluate what
7 could be done at the site to investigate what type
8 of activity had gone on.

9 Q. Did Mr. Murphy tell you you had been
10 engaged to do anything specific like render a
11 report?

12 A. No.

13 Q. Did he tell you anything about providing
14 testimony in a lawsuit?

15 A. He definitely did not. I can guarantee
16 that.

17 Q. What did you understand you were supposed
18 to do after you conducted your investigation?

19 A. We basically -- my understanding was we
20 would conduct an investigation, the investigation
21 at that time had not been outlined obviously. It
22 was the first part of it but to supply information,
23 basically be the field portion of the study.

24 Q. Did you understand at that time that there

1 was another portion of the study beyond the field
2 portion?

3 A. No, I did not.

4 Q. At some point did you learn that there was
5 another portion of the study beyond the field
6 portion?

7 A. Could you define what you mean by another
8 portion of the study, first?

9 Q. Well, in your answer you stated the field
10 portion of the study, and from that I inferred that
11 there might be another portion.

12 A. Usually when geologists do a field study,
13 they do the data assimilation and write a report.
14 I'm not sure personally we were going to write a
15 report. We have done the data assimilation. By
16 your questioning, you're alluding to something
17 beyond that. I inferred that anyhow.

18 Q. I take it that as the situation stands now,
19 you don't contemplate providing any services beyond
20 a field portion of the study of the site?

21 A. I understand based on what's happened over
22 the last couple of months that Weston Geophysical
23 will be providing testimony on what happened and
24 what we observed at specifically the Beatrice and

1 the Riley site, excuse me, Grace site.

2 Q. Will you been providing that testimony on
3 behalf of Weston?

4 A. Yes.

5 Q. Will anybody else from Weston be providing
6 testimony as far as you know?

7 A. I believe not.

8 Q. After this first meeting with Mr. Murphy
9 and Mr. Imse, did there ever come a time when the
10 role that Weston was supposed to play in the
11 lawsuit concerning the Riley land became more
12 specifically defined or better outlined?

13 A. I think after we did our surface mapping
14 or mapping of the site, we determined that and also
15 in reading the Woodward & Clyde reports that there
16 was a need for additional subsurface investigation.

17 Q. What was the purpose of the further
18 subsurface investigation you wanted to do?

19 A. The purpose of the additional
20 investigations was one to define better the
21 contamination that we believed was there based on
22 our surface mapping. Also to better define the
23 static water table. Previous reports had not in my
24 opinion as a geologist defined that adequately.

1 There was room for more study.

2 Q. The last thing you said was better define
3 the static water table?

4 A. Static water table. Also at this time we
5 became aware that the USGS, EPA and the Corps of
6 Engineers were going to conduct a pump test and
7 that test was factored into our subsurface
8 investigation.

9 Q. Now, before you did your surface
10 investigation as you called it, was there any
11 purpose that either you or someone else developed
12 for you as to why you were going to conduct the
13 surface investigation?

14 A. The purpose was basically discussed
15 between myself and Mr. Imse is one excellent way of
16 understanding this type, particular studies, what
17 type of activities had happened at the site. So it
18 is a fairly quick and reasonable way to document
19 what's on the site.

20 Q. Was there any particular thing or groups
21 of things that you were looking for to document
22 what was on the site?

23 A. No, we approached the site with an open
24 mind. We tried to document everything there that

1 we could see on the surface.

2 MR. FACHER: That you could see?

3 A. On the surface.

4 MR. FACHER: I just didn't hear it.

5 Q. Did you ignore any particular things when
6 you were conducting your site surface survey?

7 A. No, we did not.

8 Q. For example did you note presence of rocks
9 or boulders, natural occurring things?

10 A. Yes, we did.

11 Q. So that the purpose of your survey then
12 was to simply get a picture of what the site looked
13 like at the time you were making the survey,
14 correct?

15 A. I think it would be a snapshot in time of
16 when we were mapping, that's correct.

17 Q. When exactly what was the site survey?

18 A. I think the site survey, again, I don't
19 have the precise dates, but it was from late July
20 to I think mid August, somewhere in that timeframe.

21 Q. Was it continuous during that time period
22 or --

23 A. Yes, it was.

24 Q. So the site survey, did that result in any

1 kind of a map or any document to show what you
2 found on your survey?

3 What document did you produce?

4 A. We produced a map at a scale one inch
5 equals 20 feet of all the surface activity on the
6 site.

7 Q. So that map would, to use your words,
8 would be a snapshot of what was on the site from
9 late July to mid August 1985?

10 A. That would be correct.

11 Q. Had you been on the site before you
12 performed the site survey?

13 A. No, I had not.

14 Q. Had Mr. Imse been on the site before the
15 site survey?

16 A. I believe he may have visited. The
17 precise timing I don't have an answer for you.

18 Q. If Mr. Imse had made a visit before the
19 survey, would he have made any field notes of the
20 visit?

21 A. I would assume so. That would be in the
22 field notes we're copying, but I'm not sure whether
23 he actually physically went to the site or went to
24 the gate and looked on. I don't have a direct

1 answer for you.

2 Q. What work did Weston do prior to going on
3 the site to perform its site survey?

4 A. With regard to Beatrice site?

5 Q. All my questions are just with regard to
6 the Riley site.

7 A. I believe that was our first work on the
8 site was at late August when we went out and
9 started mapping the site.

10 Q. Other than going on the site, did Weston
11 do any background checking to learn anything about
12 the site or what had gone on there prior to this
13 site survey?

14 A. The only thing we had access to were the
15 Woodward & Clyde reports. Beyond that, no, we did
16 no other background reading or research or anything
17 like that.

18 Q. Did the Woodward Clyde reports have any
19 influence on the way you conducted the site survey?

20 A. No, they did not.

21 Q. Prior to the site survey, did Weston talk
22 to anyone who had knowledge about the Riley site
23 and what it looked like or what was there?

24 A. No.

1 Q. Prior to the site survey, you didn't have
2 any personal knowledge about what was on the site
3 or what had gone on there?

4 A. The only knowledge I had was from reading the
5 Woodward & Clyde reports.

6 Q. And prior to the site survey, no one else
7 at Weston had personal knowledge about the Riley
8 site or what had gone on there; is that right?

9 A. That's correct.

10 Q. When you were conducting the site survey,
11 did you talk with anybody to see, to learn more
12 about the Riley site?

13 A. No, we did not.

14 Q. How many people worked with you on the
15 site survey?

16 A. I believe there was a female geologist
17 from Woodward & Clyde who oversaw what we did,
18 there was myself and two to three other helpers
19 from Weston Geophysical. It varied from day-to-day
20 based on personnel demands.

21 Q. Prior to the site survey, did you have any
22 meetings with Mr. Schlichtmann?

23 A. No, I did not.

24 Q. Did you get any documents from Mr.

1 Schlichtmann prior to the site survey?

2 A. We got the documents from Woodward & Clyde
3 from Mr. Schlichtmann.

4 Q. And any other documents?

5 A. Specific to?

6 Q. The Riley site.

7 A. Let me think.

8 I think the only thing we got
9 relating to the Riley site would have been some
10 chemical analysis that Geoenvironmental had done on
11 an EPA well on the Riley site. The other
12 information would be the EPA data. That's in the
13 literature.

14 Q. Okay. When you conducted your site survey,
15 were you looking for anything specific?

16 A. No.

17 Q. Other than viewing the site survey as
18 providing you with a snapshot in time of the Riley
19 land, was there any other purpose to the survey?

20 A. The purpose to the survey as I stated
21 earlier was just to see what was there, and prior
22 to doing the survey -- the purpose of it was a
23 snapshot, just to see what was on the site.

24 Q. After you conducted the site survey on the

1 Riley land, what did you do next?

2 A. I think based -- well, based on our
3 surface mapping of the site and in conjunction with
4 the Woodward & Clyde data, we decided it would be
5 necessary to do a limited soil sampling program.

6 Q. And what was the purpose of the limited
7 soil sampling program?

8 A. The purpose of the program is to see if
9 the areas of debris that we had identified during
10 our mapping contained contamination.

11 Q. Is there any particular type of
12 contamination you were looking for?

13 A. Yes, based on the instrumentation that we
14 had in the field, we knew there were organic vapors
15 emanating from some of the debris piles.

16 Q. Other than your field, what your field
17 instruments told you, was there any other reason
18 why you were looking for organics?

19 A. There were a number of reasons. One,
20 smell, you could smell sweet organic vapor smell in
21 the air, a number of the barrels that we had
22 discovered on the site contained labels on it that
23 would indicate to us that they that solvents had
24 been used on the site or solvents had been in the

1 barrels, excuse me.

2 Q. These labels that you just mentioned, did
3 they have chemical names on them?

4 A. In two instances it just said Mann
5 Chemical Company and in one instance it said freon.

6 MR. FACHER: Man?

7 A. Mann, M A double N.

8 Q. M double A N N?

9 A. M A double N.

10 Q. What was it about Mann Chemical Company
11 that made you think there were organics in the
12 barrel?

13 A. I think we were surprised to find drums on
14 the site that had been -- that had chemical company
15 names on them. We did know that Riley well No. 2
16 had been contaminated with a number of volatile
17 organic compounds, so the whole just indicated to
18 us that organics would be there.

19 Q. Now, other than the labels that said Mann
20 Chemical Company, you mentioned another kind of
21 label. What did that say?

22 A. Freon.

23 Q. When you told me that the next step for
24 Weston was their desire to do a limited soil

1 sampling program, what did you mean by limited?

2 A. Well, the site is quite large and
3 typically in a site like this, you may go out and
4 do a detailed grid of the entire site. We decided
5 based on the data we had that we would check the
6 more heavily contaminated or the areas that looked
7 more heavily contaminated to us, check those first.

8 Q. Did you do any kind of sampling at the
9 time you were doing the site survey?

10 A. No, we did not. That's correct, we did
11 not.

12 Q. When you were doing the site survey, did
13 you have any equipment with you to help you detect
14 the presence of contaminants?

15 A. Yes, we did.

16 Q. What equipment did you have with you?

17 A. We had an H Nu meter and a combustible gas
18 meter and a toxicity meter.

19 Q. Are those three different instruments?

20 A. Yes.

21 Q. And what does the H Nu meter help you
22 detect, what kind of things?

23 A. The H Nu meter detects volatile organic
24 compounds.

1 Q. If there are volatile organics present in
2 an area and you pass by with an H Nu meter, will it
3 tell you which volatile organics are there?

4 A. No, it is not. It is total volatile
5 organics.

6 Q. So if I'm walking by an area with an H Nu
7 meter and I get a reading, does that tell me
8 anything about -- let's assume the reading says ten
9 parts per million, that doesn't tell me that I have
10 ten parts per million of TCE there, does it?

11 A. That's correct.

12 Q. It doesn't tell me I have ten parts per
13 million of benzene, correct?

14 A. That's correct also.

15 Q. Wouldn't tell me that there was ten parts
16 per million of 1,1,1-trichloroethane either?

17 A. That's right.

18 Q. Same thing for perchloroethylene?

19 A. That's correct also.

20 Q. Would that be true also for
21 1,2-transdichloroethane?

22 A. Yes.

23 Q. How about chloroform?

24 A. It would be correct for that also.

1 MR. SCHLICHTMANN: You said
2 1,2-transdichloroethylene?

3 Q. How about 1,2-transdichloroethylene, same
4 thing, that true also?

5 A. Yes.

6 Q. Doesn't tell you if he there is ten parts
7 per million of those either?

8 A. No.

9 Q. The combustible gas meter, you had that
10 with you on the site survey, correct?

11 A. Yes.

12 Q. What does that help you detect the
13 presence of?

14 A. It determines the presence of gas that's
15 combustible.

16 Q. What does that mean?

17 A. It just tells you that whatever gas you're
18 dealing with may be flammable, flammable limit may
19 be exceeded?

20 Q. Does that help you determine the presence
21 of a specific combustible gas?

22 A. No, it does not.

23 Q. Just there is some combustible gas present?

24 A. All these instruments are screening

1 instruments.

2 Q. The last thing you mentioned that you had
3 with you on your site survey was the toxicity meter;
4 is that right?

5 A. I think that's correct.

6 Q. What does that tell you about contaminants?

7 A. I just, it tells you something's in the
8 air. I'm not an expert on the toxicity meter. It
9 just says the meter is set for a certain level and
10 it rings an alarm when a certain level is
11 approached. Again it is not specific.

12 Q. By something in the air, is that a
13 specific class of contaminant or just anything
14 that's in the air?

15 A. I don't know. I don't have an answer to
16 that question.

17 Q. So you can't tell me what significance it
18 has when the toxicity meter rings as far as what
19 type of thing is in the air?

20 A. That's correct.

21 Q. You don't know?

22 A. No, these instruments are basically used
23 for health and safety and for screening as I said.

24 Q. So you did your site survey and you had

1 A. After we took our samples, we analyzed
2 them chemically.

3 Q. I thought step one was we did the site
4 survey and we had those screening devices.

5 A. Uh-huh.

6 Q. Did you do anything else on the site
7 survey?

8 A. We did limited -- excuse me, I thought you
9 were referring to the soil sampling.

10 Q. That came later after the site survey.

11 A. At the site survey we did no sampling, we
12 didn't take any samples or anything. I'm sorry.

13 Q. Sticking now with just after the site
14 survey, did you give a report or a summary to
15 anybody at that time about what you did on the site
16 survey and what you found.

17 A. I think we gave a copy of the map we
18 generated to Mr. Schlichtmann. We give a copy of
19 the map we generated to a woman attorney whose name
20 eludes me here and we gave a copy of the map to
21 Woodward & Clyde consultants.

22 Q. Other than the map, did you give any
23 verbal summaries of what had happened on your site
24 survey to anybody?

1 A. At that time, no.

2 Q. Who else was involved with you after the
3 site survey in the next step that Weston took on
4 the Riley site?

5 A. As I said previous, the next step we took
6 was the soil sampling. That was designed by myself
7 and the people who helped me map the site.

8 Q. Who are those people?

9 A. It was a Mr. Brett Cox a Mr. Ben
10 Frothingham and I'm trying to think of other people
11 who were involved. I think those three names,
12 myself and the other two individuals were the three
13 main geologists who mapped the site.

14 Q. What is Mr. Cox's field?

15 A. He is a hydrologist, hydrogeologist.

16 Q. How about Mr. Frothingham?

17 A. Mr. Frothingham is a geologist.

18 Q. Did you write up any work plan or protocol
19 for the limited soil sampling program?

20 A. I think we had a health and safety plan
21 and I can't remember precisely. We wrote up a
22 sampling protocol.

23 Q. Before -- after you figured out what you
24 were going to do on your soil sampling plan and

1 before you carried it out?

2 A. Yes.

3 Q. Did you have any meetings or discussions
4 with anybody about how you were going to do that or
5 changes to what you wanted to do?

6 A. No, just with the three people I mentioned
7 earlier.

8 Q. When did you conduct the soil sampling
9 program?

10 A. I think that was conducted in late August
11 I think. Mid to late August. The precise dates
12 I'd have the look in the field notes but I think
13 that's correct.

14 Q. Could you just give me a brief general
15 description of what the soil sampling program was
16 about?

17 A. Okay. Basically once we've decided where
18 we're going to sample, we had a hand auger and hand
19 auger is a device two inches in diameter, like a
20 small post hole digger and you can drive it down to
21 a certain depth and take a sample. What we did was
22 continuously drove the auger down and laid the soil
23 out on a plastic sheet. We examined the soil,
24 noted what the soil content looked like and at the

1 same time had the H Nu meter and we had the H Nu
2 meter sniff all the soil samples and we also
3 sniffed the gases coming out of the hole.

4 There are two reasons for that. One
5 was for health and safety and the second reason was
6 to determine which of all the samples that we had
7 taken from the hole were the samples that we wanted
8 analyzed.

9 Q. So I take it that you didn't analyze every
10 sample that you took out of every hole; is that
11 right?

12 A. No. That's correct.

13 Q. What standard did you use to distinguish
14 between what you were going to analyze and what you
15 were not going to analyze from each hole?

16 A. I think the standard was no particular
17 standard. The standard was how the H Nu meter
18 responded to the soil sample that we had obtained.

19 Q. Who on the site when you were doing the
20 sampling program made the determination of what
21 sample would be analyzed?

22 A. I did.

23 Q. You did. Was there a magic number on the
24 H Nu that would tell you we definitely will analyze

1 that one?

2 A. No, no. It was looking at the entire --
3 two things, looking at the soil and looking at the
4 response of the H Nu meter down the stratigraphy.

5 Q. What significance did the stratigraphy of
6 the soil have in helping you decide what samples to
7 analyze?

8 A. Sometimes the soil was extremely
9 discolored and that discoloration sometimes
10 correlated with higher levels on the H Nu meter.
11 Other times it did not, so we looked at the
12 discoloration of the soil also.

13 Q. And how did the H Nu help you determine
14 what to analyze?

15 A. The H Nu helped us determine which sample
16 had the highest organic vapors gassing off the
17 sample.

18 Q. And the purpose of your soil sampling
19 program was to select samples for analysis that
20 would help you know what?

21 A. Purpose of the soil sampling program was,
22 one, to see if the piles of debris that we
23 identified on the site contained contaminated
24 material and the second purpose was to identify

1 what that contaminated material would be or could
2 be.

3 Q. And the only way, if I understand what you
4 told me about the H Nu as being just a screening
5 device though.

6 A. Correct.

7 Q. The only way that you could fulfill
8 purpose number 2 of what the contaminants would be,
9 is if you had them further analyzed; is that right?

10 A. In essence that's correct.

11 Q. So that if someone wanted to know what
12 particular chemicals were on a particular location
13 on the Riley site, what they should look at from
14 the soil sampling program is the samples you
15 actually had analyzed. Is that right?

16 A. That would be correct, in the soil. We're
17 talking about soil right now.

18 Q. Right.

19 A. The only caveat I would add to it, that we
20 haven't grided the entire site and sampled every
21 say five or ten foot grid, and we only analyzed for
22 organic volatile compounds and pesticides.

23 There are a whole list of other
24 chemicals we could have analyzed for but we did not.

1 Q. Was there a reason why you didn't analyze
2 for that whole list of other chemicals?

3 A. The prime reason was based on the PIT
4 reports analysis of the site. They hadn't
5 identified any other chemicals that we should be
6 aware of.

7 Q. Among the group of volatile organics that
8 you were analyzing for, were there any specific
9 chemicals that you were interested in?

10 A. I think we were interested all of them,
11 specifically what had shown up in the previous
12 analysis from water quality in the river valley.

13 Q. Between the time when you did your survey
14 and the time you did your soil sampling program,
15 were you on the site at all?

16 A. Yes. Oh, excuse me. Between the time --
17 let me retract that. Between the time we did the
18 site survey and the soil sampling? Let me think
19 about this.

20 I don't recall. I don't have a
21 direct answer for you. I don't recall that time
22 span in there. It's possible we could have been
23 but I don't think we were.

24 Q. What did Weston do next after it conducted

1 the soil sampling program?

2 A. After the soil sampling program and after
3 we got the chemical analysis, we determined it
4 would be a good idea to do some drilling and
5 sampling to find out, you know, what we had in the
6 subsurface.

7 Q. The drilling and sampling that you are
8 talking about, is that looking at soil
9 contamination?

10 A. That's looking at both soil and water
11 contamination.

12 Q. Why did you want to do further drilling
13 and sampling?

14 A. The reason was that the preliminary or the
15 initial soil sampling we had done had given us such
16 high levels of volatile organic compounds that we
17 felt that more data was warranted to more precisely
18 understand what was happening.

19 Q. Understand what was happening in terms of
20 what?

21 A. Soil. General terms of contamination.

22 Q. To what end?

23 A. The end being, I guess, to determine the
24 extent of the contamination on the site and to use

1 those wells also since we at that time understood
2 USGS and Corps of Engineers and the EPA were going
3 to conduct a pump test to see how the aquifer
4 beneath the Riley property would behave during the
5 pump test, so I guess the other answer would be to
6 see if the contamination that we had found in the
7 soil at Riley was going to contribute to the
8 observed contamination in wells G and H.

9 Q. I'm going to go over something just so
10 that I'm clear about what you testified to. I
11 think I heard you testify that you were viewing
12 Weston's role and purpose in conducting this
13 investigation to determine the extent of
14 contamination on the Riley land at the time you
15 were doing the investigation.

16 A. That's correct.

17 Q. And to be in a position to see if that
18 contamination would be affected in any way when
19 wells G and H are pumping; is that correct?

20 A. That's as the program evolved, that's
21 correct, yes.

22 Q. Was there anything else that you viewed as
23 Weston's role in conducting this investigation?

24 A. Basically, I said earlier, just supplying

1 field information. We were basically the
2 information gathering unit.

3 Q. Did you understand who you were supplying
4 this information to?

5 A. Mr. Schlichtmann.

6 Q. And the information you were supplying to
7 Mr. Schlichtmann dealt with the extent of
8 contamination on the Riley site at the time of your
9 investigation? Is that right?

10 A. At the time of the investigation for the
11 Riley property we were trying to determine what the
12 extent of the contamination on Riley was, yes.

13 Q. Was there any other type of information
14 that you understood you were supplying to Mr.
15 Schlichtmann?

16 A. On the Riley site, no, just information
17 specifically to what contaminants were there and
18 the level of contamination.

19 Q. I take it from your previous answers that
20 when you first started the investigation, you
21 weren't aware of the USGS plans to conduct a
22 pumping test, am I right?

23 A. That's correct.

24 Q. At what point did you learn about the

1 plans for a pump test?

2 A. I think it was sometime in late August I
3 guess when I personally knew of the planned pump
4 test.

5 Q. How did you find out about the pump test?

6 A. I don't know. I am not sure how I found
7 out directly. Let me think.

8 No, I don't know who told me.

9 Q. Did you learn about the pump test in
10 connection with an expansion of your tasks on the
11 Riley site?

12 A. I'm not -- I'm not sure that's correct.

13 Q. Do you think that you learned about the
14 pump test and then suggested that your duties ought
15 to expand to include this or do you think it
16 happened that someone said your duties ought to
17 expand to include the pump test because it's going
18 to happen?

19 A. Rephrase that. I just sort of --

20 Q. I'm trying to find out first is whether
21 the chicken or the egg came first. Did you learn
22 about the pump test and then decide to incorporate
23 that into your investigation or visa versa?

24 A. I'm not really sure how that came about

1 because I think, as I said earlier, we were going
2 to do the subsurface investigation, and then once
3 that was underway, I think we found out about the
4 pump test going on. I'm just trying to think of
5 how we found out about the pump test. You're
6 talking specifically about Beatrice now and I don't
7 think during any of our activities at Beatrice that
8 that came up.

9 Q. However you heard about the pump test --

10 A. I obviously heard about it.

11 Q. However you heard about it, did you then
12 have to speak to anybody about including that in
13 your tasks in connection with the Riley site
14 investigation?

15 A. I think we talked both to Jan and -- Mr.
16 Schlichtmann and Doctor Pinder about we had the
17 contamination at the site and it probably would be
18 definitely a good idea to monitor what happens
19 during the pump test.

20 Q. Do you recall when this discussion took
21 place?

22 A. No, I don't. I definitely don't.

23 Q. Was this discussion in person or over the
24 phone?

1 A. It was probably over the phone.

2 Q. Were there any other participants besides
3 Mr. Schlichtmann and Doctor Pinder?

4 A. I think Mr. Imse was involved. I know he
5 was heavily involved then.

6 I don't recall any specific, other
7 specific individual being involved at that stage.

8 Q. At what point relative to your -- either
9 your soil sampling program or your subsurface
10 investigation, did this discussion take place?

11 A. I'm not sure of the exact timeframe. It
12 is somewhere in there after the site mapping. It
13 was probably -- let's see. I don't want to mislead
14 you. I don't know the precise timing. I just
15 don't remember how it worked out.

16 Q. Had you had any prior discussions with
17 Doctor Pinder before you started talking about the
18 pump test?

19 A. No.

20 Q. Did you know that Doctor Pinder was
21 involved in any way in investigation of the Riley
22 site prior to this discussion with him about the
23 pump test?

24 A. Yes, I heard he was involved.

1 Q. What did you understand his involvement to
2 be?

3 A. I understood that Doctor Pinder was an
4 eminent hydrogeologist, and I guess I had no direct
5 knowledge of precisely what he was doing except he
6 was than eminent hydrogeologist. I assumed he
7 would be involved in the hydrolics of the system.

8 Q. How did you hear about Doctor Pinder's
9 involvement?

10 A. I think through my colleague John Imse.

11 Q. Do you know where Mr. Imse learned about
12 it?

13 A. I am assuming through Mr. Schlichtmann.

14 Q. Did you ever have any meetings with Doctor
15 Pinder concerning this investigation?

16 A. Up to this particular timeframe?

17 Q. At any time?

18 A. Oh, yes.

19 Q. Were any of those prior to this
20 conversation about the pump test?

21 A. No.

22 Q. How many times have you met with Doctor
23 Pinder concerning this site investigation?

24 A. I think I have met with Doctor Pinder two

1 or three times. I am not sure which is correct,
2 two or three times.

3 Q. Was anybody else at these meetings you've
4 had with Doctor Pinder?

5 A. Other than perhaps one of his grad
6 students at one time. I think Mr. Schlichtmann was
7 at one of them. That was basically it.

8 Q. Whereabouts in the course of your
9 investigation did these meetings take place?

10 A. I think the first time I personally met
11 Doctor Pinder was maybe two and a half months ago.

12 Q. And you have met him twice, met with him
13 twice within the last two and a half months; is
14 that correct?

15 A. That's correct.

16 Q. Do you know if Mr. Imse had any meetings
17 with Doctor Pinder during the course of this
18 investigation?

19 A. He may have. I have no knowledge if he
20 met with him directly, but he may have. I can't
21 answer that for you.

22 Q. Other than Doctor Pinder, have you met
23 with any other scientific experts concerning the
24 investigation of the Riley site?

1 was important to your investigation?

2 A. I think the prime reason we were just
3 getting some strange readings in our drilling which
4 seemed to correlate Woodward Clyde's data and we
5 wanted to get a better handle on what the
6 subsurface configuration was doing to determine --
7 to help determine what subsurface flow patterns
8 would be like.

9 Q. The subsurface flow patterns you're
10 talking about, are those groundwater flow patterns?

11 A. Groundwater flow patterns.

12 Q. Did you understand it was part of Weston's
13 responsibility to develop the groundwater flow
14 pattern underneath the Riley land?

15 A. I don't think it was specifically spelled
16 out that way, but to put the picture together what
17 was happening on Riley land, that that would be a
18 necessary piece of information, yes.

19 Q. Who is the Weston person who is most
20 responsible for the ground water flow pattern
21 information about the Riley site?

22 A. I guess it would be myself.

23 Q. Did you work with anyone from Weston on
24 the groundwater flow pattern specifically?

1 A. Yes, I did.

2 Q. Who was that?

3 A. Mr. Brett Cox of our staff.

4 Q. Is there any reason other than presenting
5 Mr. Schlichtmann with a complete picture of what's
6 happening on the Riley site during the course of
7 your investigation why you were interested in
8 groundwater flow patterns?

9 A. I think the answer is obvious. To see
10 which way the contaminants were moving.

11 Q. Was the ground water flow patterns on the
12 Riley site part of the Weston investigation right
13 from the start? Do you understand that to be part
14 of the investigation?

15 A. I'm sorry, whose investigation?

16 Q. The Weston investigation.

17 A. Oh, Weston. I think in principal, yes, it
18 would have been part of it.

19 Q. The other reason you said you were
20 interested in doing the seismic work was to define
21 the sands and gravels?

22 A. Yes.

23 Q. Underneath the site and to economize on
24 the well drilling?

1 A. Uh-huh.

2 Q. How does the sand and gravel help you to
3 economize on well drilling?

4 A. Well, the sand and gravel doesn't help us
5 economize. The technique does. What was happening,
6 there are some areas of higher velocity material
7 under the site, and these areas may be or could be,
8 is probably a better word to use, slower zones of
9 groundwater flow, and we were encountering some
10 indications of this in the drilling and Woodward
11 Clyde's pump tests also indicate this, so the
12 seismic refraction helped us to determine if this
13 was indeed there. So I use the term sand and
14 gravel, it is a very generic term. There are other
15 materials in there that have some clay and silt in
16 it which would be a little bit more higher velocity
17 and the seismic will pick it up.

18 Q. The final reason you were interested in
19 seismic work was to determine if the bedrock was
20 fractured; is that right?

21 A. Yes.

22 Q. And why were you interested in that piece
23 of information?

24 A. It's been our experience dealing with

1 sites that are contaminated that most people only
2 look at the sand and gravels and we have found in
3 our studies that a lot of times the rock is
4 overlooked and there is a lot of contamination in
5 the rock, specifically if the rock is fractured.
6 Our initial drilling indicated the rock was
7 fractured. We did the seismic to penetrate deep
8 into the rock to see how deep it was fractured and
9 that was the other reason.

10 Q. And the development of this seismic study
11 that was done solely by Weston itself?

12 A. That's correct.

13 Q. And the interest in doing a seismic study,
14 the initial interest that came from Weston?

15 A. Yes, that was our impetus.

16 Q. Doctor Pinder had no input into the
17 seismic work?

18 A. As I said earlier, no, he did not.

19 Q. In your discussions with Doctor Pinder,
20 did he ever express a particular interest in the
21 bedrock for his work?

22 A. In general discussion, yes, he did mention
23 it would be nice to know the bedrock profile
24 throughout the valley.

1 Q. Did he tell you why he wanted to know the
2 bedrock profile?

3 A. I think the bedrock profile would be
4 important for his analysis of the hydrolics of the
5 system.

6 Q. And why is that?

7 A. The bedrock is considered an impermeable
8 boundary, so to analyze the system, you would have
9 to know where that boundary is.

10 Q. If the bedrock is highly fractured, is it
11 considered an impermeable boundary?

12 A. Eventually it will be an impermeable
13 boundary, yes. Let me clarify that for you.
14 Usually what happens, the first 10 or 15 feet of
15 rock are fractured. As you go down deeper, the
16 fracture closes up and it becomes impermeable. Our
17 reason for looking at it was that first 10 or 15
18 feet of fractured rock that most people overlook
19 and sometimes in some instances that can be a zone
20 of contaminant flow.

21 Q. Did Doctor Harris have anything to say
22 about the seismic work?

23 A. No.

24 Q. Any other scientific expert outside of

1 Weston have any input into the seismic work that
2 was done on the site?

3 A. Umm, peripherally USGS was interested in
4 what we were doing.

5 Q. Did they make any comments or suggest any
6 changes to what you intend to do?

7 A. No, they did not.

8 Q. They were simply interested in the data
9 that you acquired; is that right?

10 A. Yes, I'll clarify that for you, just for
11 your information. USGS was conducting the same
12 type of surveys throughout the valley and they were
13 just interested in what we were doing.

14 Q. The seismic work that you did, was that
15 seismic refraction or seismic reflexion?

16 A. Refraction.

17 MR. SCHLICHTMANN: For those who care.

18 A. There is a difference.

19 Q. The seismic work was part of your
20 subsurface investigation as we've been calling it,
21 right?

22 A. Yes.

23 Q. What else was part of that subsurface
24 investigation?

1 A. I think the critical part was sampling the
2 water and soil.

3 Q. Was installing wells part of that
4 investigation as well or was that a different?

5 A. I am sorry, yes, it would have to be to
6 get the water and soil samples, yes.

7 Q. What basis did you use -- strike that.
8 Were you involved personally in selecting the soil
9 sampling and the well drilling locations for your
10 subsurface investigation?

11 A. I selected the subsurface, the well
12 locations, yes.

13 Q. Were those different from the soil
14 sampling locations?

15 A. Yes, they were.

16 Q. Who selected the soil sampling locations?

17 A. I did also.

18 Q. Let's deal with the placement of the wells
19 first. What criteria did you use in selecting
20 where to place the wells on the Riley site?

21 A. I guess we used three criteria. The
22 existing data that both EPA and Woodward & Clyde
23 had generated, the need for more appropriate
24 spacing to determine what the water table was doing,

1 and three, to look at both -- I guess not both --
2 down gradient positions from where contamination
3 had been observed at the surface and in the
4 subsurface.

5 Q. In determining what areas were down
6 gradient from places that you thought were sources
7 of contamination, what information were you relying
8 on?

9 A. We used a combination of data, again, EPA,
10 Woodward & Clyde and just our geologic horse sense
11 from being out there and walking around, the lay of
12 the land I guess is the way to put it. Not very
13 technical but that's how it was done.

14 Q. Was the decision based generally on
15 regional groundwater flow patterns that you were
16 able to cull from those various sources?

17 A. No, I would say it was more local flow
18 patterns.

19 Q. What did you determine was the local flow
20 of groundwater underneath the Riley site?

21 A. We weren't sure is the best way of putting
22 that. We just did not know what the flow pattern
23 was.

24 Q. So you took a guess on where it was down

1 gradient of your source in the placement of the
2 wells is an educated guess?

3 A. I would say a guess based on our
4 professional knowledge of other types of
5 investigations elsewhere.

6 Q. Doctor Pinder help you in the placement of
7 the wells at all?

8 A. As I stated earlier, he -- just after we
9 showed him a document where the wells were, he
10 agreed to the location. He had no problem with the
11 location.

12 Q. Did you have any discussions with him
13 about the localized groundwater flow on the Riley
14 site?

15 A. At that particular time, no.

16 Q. At any time?

17 A. Recently, yes.

18 Q. When did you discuss the local groundwater
19 flow directions with Doctor Pinder?

20 A. Basically from the pump test data.

21 Q. Is that within the past few weeks?

22 A. That's within the past few weeks.

23 Q. What was the nature of your discussion
24 with Doctor Pinder about the direction of

1 groundwater flow locally under the Riley site?

2 A. At which particular date?

3 Q. Let me back up. How many discussions have
4 you had with Doctor Pinder about the direction of
5 the localized groundwater flow underneath the Riley
6 site?

7 A. I think probably two or three.

8 Q. Did those discussions about the direction
9 of groundwater flow focus specifically on the
10 direction on a specific date?

11 A. Are you talking about the groundwater flow
12 on a specific date or the discussion on a specific
13 date?

14 Q. I'm talking about the groundwater flow on
15 a specific date.

16 A. I don't think it did, no.

17 Q. Okay. Now I'll ask the other question
18 again. What was the substance of your discussions
19 with Doctor Pinder about the direction of localized
20 ground water flow underneath the Riley site?

21 A. I think the discussion was that he -- back
22 then we were installing the wells, he needed more
23 information to understand which way the groundwater
24 was flowing.

1 Q. I'm a little bit confused. Did you have
2 this discussion back when you were installing the
3 wells?

4 A. When you asked me originally, he was
5 looking at our document with the well locations on
6 it, did he agree to those locations and why and one
7 of the reasons was he needed information for
8 groundwater flow.

9 Q. After you put the wells in, you had
10 subsequent discussions with Doctor Pinder about the
11 direction of localized ground water flow on the
12 Riley site, correct?

13 A. Yes. You're jumping over some steps here,
14 but yes, that's correct.

15 Q. What was the substance of those
16 discussions?

17 A. He basically wanted the water levels that
18 were observed in those borings so he could
19 determine which way the groundwater was flowing.

20 Q. Did Doctor Pinder ask you for any other
21 information other than the water levels?

22 A. The boring logs.

23 Q. Did he ask you for any other information
24 other than the water levels and the boring logs?

1 A. I guess probably the chemistry of the
2 water also, water and soil.

3 Q. Did he ask you which way the groundwater
4 was flowing underneath the Riley site?

5 A. No, he did not ask me that question.

6 Q. Did you ever tell Doctor Pinder your
7 opinion about which way groundwater is flowing
8 underneath the Riley site?

9 A. No, I did not.

10 Q. You mentioned before that in between the
11 time you put your wells on the Riley site and the
12 time you talked about those wells and the direction
13 of localized groundwater flow with Doctor Pinder I
14 was skipping over a few steps. What steps did I
15 skip over?

16 A. The steps we would have to measure the
17 water levels in the wells and then once we had the
18 levels measured, the other thing the wells have to
19 be surveyed in, so it is not simply going out and
20 measuring the water level. The location of the
21 well has to be surveyed and the elevation of the
22 well has to be surveyed in.

23 Q. Okay. Let's go back to the wells that you
24 put in. If I understand it correctly, other than

1 saying yeah, those are good places to put the wells,
2 Doctor Pinder had no input into that decision; is
3 that right?

4 A. That's correct.

5 Q. Did anybody else outside of Weston have
6 any input into in the decision as to where to place
7 the wells?

8 A. I think we discussed it with Mr.
9 Schlichtmann what we'd like to do and what we
10 should do. I think we ran a good program by him
11 obviously so he -- we had some discussions with him
12 about it. Beyond that, no.

13 Q. Did he have any input into the -- did Mr.
14 Schlichtmann have any input into the locations of
15 the wells?

16 A. In one instance he may have said -- I'm
17 just trying to think, get this correct now. There
18 is a large space between such and such wells. It
19 would be a nice idea to see what's going on there.
20 I think that may have happened.

21 Q. Which wells was he talking about?

22 A. I think it is well 12 if I'm not mistaken.

23 Q. Well 12 and what other well?

24 A. That's it.

1 Q. Of the 16 or 17 wells, how many well
2 clusters did you have?

3 A. We had wells 8 through 14 were the well
4 clusters, so that would be --

5 Q. What's the reason for putting the wells in
6 in a cluster?

7 A. The reason for the cluster is to isolate
8 certain sections of the aquifer to determine what's
9 going on. If you put a completely screened well
10 in, you don't get a good idea of what's happening
11 in various parts of the aquifer, so using a cluster
12 you get a more accurate portrayal of what's
13 happening in the subsurface, both hydraulically and
14 chemically.

15 Q. What different parts of the aquifer did
16 your well clusters look at?

17 A. We essentially looked at four different
18 parts. The rock, there was a zone of cobbles on
19 top of the rock, and there was a zone of gravel we
20 looked at also, and then the last cluster was to
21 look at the water table at the near surface.

22 Q. What were you hoping to find out about the
23 groundwater by having the clusters in those
24 different locations?

1 A. We were hoping to find out if there was
2 any, what the vertical flow of water was, whether
3 the water was coming up or going down.

4 Q. Anything else you hoped to learn from the
5 well cluster?

6 A. I thing the other thing we hoped to learn
7 was the behavior of that zone that we had screened
8 off during pumping, what that would look like.

9 Q. Anything else?

10 A. I think the other thing was since we
11 isolated a certain part of the aquifer, we could
12 also take a water quality sample from there to see
13 how the contamination was stratified if it was at
14 all.

15 Q. What importance did the vertical flow of
16 water have to your investigation?

17 A. It determines whether we have a recharge
18 zone or a discharge zone.

19 Q. Why was that significant to you?

20 A. It gives you more of a three dimensional
21 idea which way the water is flowing.

22 With a single well you only have one
23 dimension. This way here you can go up and down.

24 Q. What part did that have to play in your

1 investigation for Mr. Schlichtmann of the Riley
2 site, whether the water was recharged or
3 discharging?

4 A. From our point of view it gives us again a
5 better idea of what the hydraulic systems are doing.

6 Q. Why were you interested in the water
7 quality samples from different levels of the
8 aquifer from the clusters?

9 A. It gives the geologist an idea of what,
10 how the contaminant is flowing in the ground water
11 regionally. Basically what the vertical
12 distribution of the contamination is.

13 Q. And what does the vertical distribution of
14 contamination tell the geologist?

15 A. It tells the geologist a number of things.
16 I guess it tells them how the contamination is
17 behaving in the aquifer, where the contamination is
18 going I guess in general terms. It tells us if the
19 contamination has got into the rock, so it gives us
20 a rough idea of the permeability of materials.
21 What else?

22 I'm sure there are other things, but
23 off the top of my head I can't think of them right
24 now.

1 Q. Did the vertical distribution of
2 contaminants tell you any more about the Riley site
3 other than where the contamination was going, how
4 it behaves and whether it got into the rock?

5 A. In some instances it may indicate if
6 you're close to the source of contamination or not.

7 Q. How does the vertical distribution do that?

8 A. I think if you had extremely high levels
9 of contamination right at the surface, it would
10 indicate that you were near the source of the
11 contamination.

12 The only caveat I would add to that
13 is it depends on the permeability of materials.

14 Q. Why was it important for your
15 investigation for Mr. Schlichtmann that you look at
16 the well clusters to find out about the behavior of
17 the screen zone during pumping?

18 A. I guess again, you know, to determine what
19 the hydraulics of the aquifer under the Riley site
20 is. I mean there had been a small pump test done
21 and it had some information. We felt more
22 information would be required to clearly understand
23 what was happening.

24 Q. Did you understand that it was one of

1 Weston's tasks to determine the hydraulics
2 underneath the Riley site?

3 A. I don't think it was specifically our --
4 let me put it a different way.

5 It was probably in one of our tasks
6 to provide that information to Doctor Pinder since
7 he was looking at the hydraulics of the valley.

8 Q. So if I understand your answer correctly,
9 it's Doctor Pinder who is actually going to
10 determine the hydraulics under the Riley site and
11 Weston was providing him with data to do that?

12 A. We were providing the data. Of course
13 when we had the data, we looked at it ourselves,
14 too.

15 Q. Have you had any discussions with Doctor
16 Pinder about your view of the hydraulics of the
17 Riley site?

18 A. No, I have not.

19 Q. Has he asked you for your view?

20 A. No, he hasn't.

21 Q. And you haven't volunteered your view to
22 him, have you?

23 A. No, I haven't volunteered my view to him.

24 Q. The soils that you sampled in the

1 subsurface investigation, what were they supposed
2 to be analyzed for, what types of contaminants?

3 A. We analyzed the soils, as I said earlier,
4 for the priority and nonpriority of volatile
5 organics. We also analyzed the -- trying to think.
6 That was it.

7 Q. Was there anything else other than the
8 well drilling and the soil sampling that was part
9 of your subsurface investigation?

10 A. Yes, we did, just to amplify that last
11 question, we did analyze some pesticides.

12 Q. In every soil sample?

13 A. No, only in soil samples that were next to
14 the areas where we felt was obvious that pesticides
15 had been disposed of.

16 Q. How many areas were they?

17 A. Two areas.

18 Q. Did those samples that you analyzed for
19 pesticides come from the well core or did they come
20 from separate locations?

21 A. One sample they come from a well core
22 sample.

23 Q. What sample was that?

24 A. I think it was well 13. One sample came

1 from near one of the debris piles. Second sample
2 came from a debris pile, and as I said earlier,
3 just recently we took a sample for health and
4 safety readings which came from a debris pile also.

5 Q. Are these all the same debris piles or
6 separate debris piles?

7 A. The first sample I mentioned is a separate
8 debris pile. The second two samples I mentioned
9 are from the same debris pile.

10 Q. Anything else that was a part of your
11 subsurface investigation?

12 A. Yes. Recently prior to the initiation of
13 pump tests we did some permeability testing in the
14 bore holes.

15 Q. What do you do when you do a permeability
16 test?

17 A. Permeability test is just to measure --
18 what did I do? We pour water down. Let me step
19 back. Excuse me. We put a device down the bore
20 called a transducer. It is a pressure transducer.
21 The transducer measures change in pressure.
22 Transducer is installed in the bore hole and it is
23 calibrated so it is set for a number. Once the
24 instrument is stabilized and the number is input

1 into the instrumentation and calibrated, we pour a
2 volume of water into the bore hole and what happens
3 is that the initial static level of the bore hole
4 rises up because we have added water to it and then
5 we watched with this pressure transducer the change
6 in pressure with time, and that gives us the
7 permeability of the material that we're looking at
8 where the screen is.

9 Q. Which particular wells did you do the
10 permeability testing in?

11 A. I believe we did every single well but
12 there may be a well we missed, but I believe we did
13 every single well.

14 Q. By every single well, do you mean only the
15 Weston wells or every well that exists on the
16 property?

17 A. I believe we did all the Woodward & Clyde
18 wells, too.

19 Q. Are there any EPA wells on that site?

20 A. There are presently one, two, six -- nine
21 EPA wells on that site.

22 Q. Did you do the permeability tests on those
23 wells also?

24 A. Let me think here.

1 I'm not sure. I think we may have.

2 I'm not sure.

3 Q. How long after the Weston wells were
4 installed did you to the permeability tests?

5 A. We did the permeability tests in mid to
6 late November so the wells, some of the wells were
7 still going in when we installed -- were doing
8 permeability tests.

9 Q. Doctor Pinder have any input into the
10 decision to conduct permeability tests?

11 A. He thought it was a very good idea and he
12 stipulated if we did do it that we use this
13 particular type of instrumentation.

14 Q. The transducer?

15 A. Transducer.

16 Q. Did Dr. Pinder suggest to you that you
17 perform the permeability test?

18 A. No, it was our idea to do the permeability
19 test.

20 Q. The data that you collected during the
21 permeability test, what did you do with that data?

22 A. We gave the data to Doctor Pinder, a copy
23 to Mr. Schlichtmann, and I believe we may have
24 given a copy to EPA if we did an EPA well, but

1 since I am not sure we did an EPA well tnat point
2 I'm unclear on.

3 Q. Am I right in assuming that a permeability
4 test gives you an answer that the permeability at
5 this location is X?

6 A. That's correct.

7 Q. Did Weston take the data that it gathered
8 during the permeability test and work it through to
9 find out what X was at each well?

10 A. No, we have not done that yet.

11 Q. The permeability data that you gathered,
12 was that provided to Doctor Pinder so that he could
13 find out what X was?

14 A. We gave the data to Doctor Pinder. I
15 assume that's what he did. I can't answer what he
16 did obviously.

17 Q. I take it from that answer that you have
18 not told Doctor Pinder what the results of your
19 permeability tests were?

20 A. No. As I stated earlier, we just gave him
21 the data.

22 Q. Did you have any discussions with Doctor
23 Pinder about the permeability of the soils in the
24 Riley site area after you gave him your data?

1 A. No.

2 Q. Any other work that Weston did that was
3 part of your subsurface investigation of the Riley
4 site?

5 A. Yes, we early -- I forgot to mention this
6 earlier, when we did the surface mapping we
7 attempted to do an E M survey and a magnetic survey.

8 Q. E M and a magnetic survey?

9 A. E M and a magnetic survey.

10 Q. This is at the same time you were doing
11 the surface survey?

12 A. That's correct.

13 Q. What is an E M survey all about?

14 A. It is called electromagnetic survey and it
15 measures if there is any -- basic technique is to
16 measure if there is anything buried beneath the
17 ground basically.

18 Q. Does it tell you what the specific object
19 that might be if you locate anything?

20 A. No, it definitely does not.

21 Q. Does it tell you whether it is made of
22 metal or wood or --

23 A. No, it just tells you the difference in
24 conductivity. There could be a number of causes

1 for the difference in that conductivity.

2 Q. When you were doing the E M survey, did
3 you have any information that material had been
4 buried on the Riley site?

5 A. No, we did not.

6 Q. Did you write up a report of the results
7 of your E M survey?

8 A. No.

9 Q. Would the information gleaned from your
10 E M survey be included on your site survey map?

11 A. It is not on there.

12 Q. Is it included in any document?

13 A. It is not included in any document
14 basically because we felt that the technique did
15 not work, that it was, as I said earlier, it was an
16 attempt -- it was an experiment to see if this
17 technique would work at the site. It clearly did
18 not work and we did not do anything else with it.

19 Q. When you said clearly it did not work,
20 does that mean it didn't give you any usable data
21 on which to determine --

22 A. It gave us data, but we felt by interpreting
23 the data it would not be an effective tool for
24 investigating -- at that time an effective tool for

1 investigating this particular site.

2 Q. So the E M investigation was basically a
3 wash?

4 A. Yes.

5 Q. Now you also mentioned a magnetic survey,
6 is that what you called it?

7 A. Yes.

8 Q. And that's something different from the
9 electromagnetic survey?

10 A. That's something different. Again it is
11 to look for buried metal objects.

12 Q. So the magnetic one is specific to metal
13 objects?

14 A. Yes.

15 Q. Are the results of the magnetic survey
16 shown on your site survey map?

17 A. No, they are not.

18 Q. Are they shown in any document?

19 A. I think we gave the data to Woodward &
20 Clyde consultants. Actually I know we gave to it
21 them.

22 Q. What did the data show?

23 A. Data just showed minor fluctuation in the
24 earth's magnetic field. Again it was a very

1 limited survey and an attempt to see if the
2 technique would work. Based on that we decided
3 there were other techniques that we could use, so
4 we didn't go ahead with the survey. Again they
5 both were attempts.

6 Q. So you didn't draw any conclusions from
7 the magnetic survey either about the Riley site; is
8 that right?

9 A. Basically what we did, that's correct.

10 Q. You just mentioned that you concluded
11 there were other techniques that you could use to
12 discover buried objects; is that correct?

13 A. I think I said there were other techniques
14 that could be utilized at the site. That's the
15 techniques we used, drilling, seismic and things
16 like that.

17 Q. Those are the activities you already told
18 me about; is that right?

19 A. Yes. As I said earlier, I forgot about
20 those since we didn't use them.

21 Q. Okay. We talked about the subsurface
22 investigation including placement of wells, the
23 soil sampling, your permeability tests?

24 A. Uh-huh.

1 AFTERNOON SESSION

2 MR. STEWART: Note the time please.

3 I have 1:30.

4 Q. Mr. Drobinski, I'd like to go back and
5 just finish with you outlining for me the rest of
6 the work that Weston did on the Riley site. I
7 think we got as far as your permeability tests
8 which were done in November. Has Weston done any
9 further work after those permeability tests on the
10 Riley site?

11 A. For the field work or for the work in
12 general?

13 Q. Let's stick with the field work first.

14 A. After permeability tests were conducted,
15 USGS and EPA initiated a pump test and we were
16 working in conjunction with them and Woodward &
17 Clyde to monitor the activities of pump tests.

18 Q. Were you personally involved at all in
19 monitoring the pump test?

20 A. Yes, I was.

21 Q. Were you involved on the Riley site with
22 the pump test?

23 A. Yes, I was.

24 Q. What exactly did Weston do to monitor the

1 pump test?

2 A. Okay. We did two things. The first thing
3 we did is we rented a computer system that is
4 attached to these little transducers that I talked
5 to you earlier that measure change in pressure
6 which is calibrated to measure the change in the
7 water level. We installed 14 transducers in the
8 wells that we had drilled at the Riley site.
9 Subsequently four of our transducer cables were cut,
10 so we only had ten holes we could use transducers
11 in.

12 Also we measured the change of water
13 level with electric tapes. These are hand held
14 tapes that you lower down the bore hole and they
15 indicate by a small light when the water table is
16 intersected. So during the pump tests we have been
17 measuring the fluctuation, the draw down due to
18 turning on the pumps G and H that's ongoing right
19 now.

20 Q. Anything else that Weston has done on the
21 Riley site that you haven't told me about?

22 A. I think the only other thing we have done
23 on the Riley site, we sampled some EPA wells which
24 are installed on the Riley site.

1 Q. When did you sample those wells?

2 A. We sampled those last week I believe.

3 Q. Is there any further field work that
4 Weston contemplates doing on the Riley site?

5 A. Yes, we plan to take another round of
6 groundwater samples from both the Weston and the
7 Woodward & Clyde wells and if we have access to the
8 EPA wells. We would like if possible before the
9 first heavy snow comes to perhaps examine some of
10 the debris piles in greater detail. The last one
11 is more conjecture obviously with the snow.

12 Q. Anything else Weston contemplates doing?

13 A. I think that basically takes care of it.

14 Q. As far as you can remember today, you at
15 least outlined for me all of the field work Weston
16 has done or intends to do on the Riley site; is
17 that right?

18 A. I believe that's correct.

19 Q. Other than field work, has Weston done any
20 work for Mr. Schlichtmann in this case?

21 A. Yes, we have.

22 Q. What work has that been?

23 A. We have examined some aerial photographs.

24 Q. Anything else other than field work

1 besides examining aerial photographs?

2 A. Specific to the Riley site?

3 Q. Yes.

4 A. I believe as far as I can recall with all
5 the activities we have done, I believe that's
6 complete.

7 Q. When you say Weston examined aerial
8 photographs, who in Weston looked at the aerals?

9 A. Myself and I think a Mr. Preston Turner
10 looked at the photographs also.

11 Q. Cruston?

12 A. Preston, P R E S T O N, Turner, as in Ted.

13 Q. I take it from what you have told me that
14 you were pretty much the project manager for Weston
15 on the Riley investigation; is that right?

16 A. That would be correct.

17 Q. What role did Mr. Imse play in the
18 investigation?

19 A. Mr. Imse was originally involved more with
20 the Grace site than with the Riley site.

21 Q. Did he play any role at all in connection
22 with the Riley site investigation?

23 A. Yes, he did.

24 Q. What was that?

1 A. He assisted partially in mapping some of
2 the Riley site and --

3 Q. Is that the surface survey?

4 A. Surface survey. He may have overseen some
5 of the drilling activities going back a couple of
6 months. I'm not sure. May have done that and also
7 there was a video made of the Riley property. Mr.
8 Imse conducted that tour.

9 Q. What about Mr. Murphy, did he play any
10 role in the Riley site investigation?

11 A. Mr. Murphy is the owner of Weston, one of
12 the owners of Weston. He played a peripheral role.
13 His role basically was to bounce ideas off for some
14 of the geophysical studies. He is more of a
15 geophysicist than a geologist. I guess he made
16 sure we were on tract to keep our client happy, Mr.
17 Schlichtmann, and he played some of the
18 administrative roles.

19 Q. How often did you confer with Mr. Murphy
20 during the course of the Riley investigation?

21 A. I probably see him once or twice a week
22 and we'd talk casually over what was going on.

23 Q. Did you have to clear with Mr. Murphy
24 things you were doing on the Riley site?

1 A. No, I did not.

2 Q. Other than the administrative roles and
3 interacting with the client and being a sounding
4 board for geophysical studies, did Mr. Murphy do
5 anything else in connection with the Riley site
6 investigation?

7 A. I can't -- I don't know precisely what he
8 did, and as I said, I only saw him once or twice a
9 week. Read all the literature. He was familiar
10 with what was going on. I know he had been out to
11 the site a couple of times. I think beyond those
12 type of things of just general interest, both from
13 a scientific point of view and from a corporate
14 point of view, that was it.

15 Q. The air photos that you and Mr. Turner
16 examined, do you have any way of identifying those
17 for me either by year or by photo service that took
18 them?

19 A. I don't recall the photo service but I can
20 identify them by year. One was I think taken in
21 1956. There was one taken in 1966 which is
22 referred to in the Woodward & Clyde report and
23 there is I think one was taken in 1984, the present
24 available imagery. I think there was one 1969 also

1 that's alluded to in the Woodward & Clyde report.

2 Q. Are those four the only aerial photos you
3 examined for Mr. Schlichtmann?

4 A. With regards to the Riley site? I believe
5 that's correct.

6 Q. The topographic map that you referred to
7 earlier, what exactly does that show, I mean just
8 give me a general description of what is on a
9 topographic map.

10 A. Topographic shows buildings, roads,
11 streams, rivers, railway lines and contours.
12 Contours are like, not a grid, but shows the
13 various elevations, and I think there are two foot
14 contours.

15 Q. Of the ground surface?

16 A. Of the ground surface, that's right.

17 Q. Now, you told me this morning that when
18 you looked at this topo sheet, it helped to explain
19 a somewhat mysterious hole that you had seen on the
20 Riley site; is that right?

21 A. Yes, that's correct.

22 Q. What exactly was on the topo sheet that
23 you looked at?

24 A. On the topo sheet was a small, I think, a

1 rectangle or a square in the approximate position
2 where we found this hole and my interpretation of
3 that rectangle or square based on other figures on
4 a topo sheet is that would be a building.

5 Q. All that was on the topo sheet in this
6 location was a square; is that right?

7 A. That's correct. With topographical lines
8 also.

9 Q. Was there any notation that denominated
10 the square as a building?

11 A. I don't recall on the map if it did say it
12 was a building.

13 Q. Was there any other marking on the map to
14 indicate what that square might signify?

15 A. There would be no other marking that would
16 indicate it would be anything else at least to my
17 interpretation than a building.

18 Q. Did you make any other use of the topo map?

19 A. Other than a base map. Beyond that, no.

20 Q. Why were you examining aerial photos for
21 Mr. Schlichtmann?

22 A. We were examining aerial photographs to
23 put together, as I said earlier, sort of an
24 archeological history of what went on at the site,

1 and by looking at various photographs, they are a
2 snapshot in time what is going on obviously.

3 Q. Let's start with the 1984 aerial. Do you
4 know who took that?

5 A. Off the top of my head, no, I don't.

6 Q. What do you recall about the 1984 aerial
7 that you used to the develop this archeological
8 history you were working on?

9 A. I recall seeing the railroad track, the
10 access road to the site. Some of the other access
11 roads are overgrown. Some of the clearing has been
12 done on the site. Based on that photograph I could
13 fairly easy pinpoint where all Woodward Clyde wells
14 were and where we were going to drill our wells.
15 There were signs on the -- not signs, excuse me,
16 indications on the map where you could possibly see
17 that, where you could see the areas that we had
18 mapped where debris piles were and things like that.
19 You could see -- what else?

20 The river. It was good depiction of
21 what is on the ground.

22 Q. I take it from that answer that you
23 examined the 1984 aerial photo before you put the
24 Weston wells on the Riley site; is that right?

1 A. I looked at it prior to and also after,
2 yes.

3 Q. Was there anything about the 1984 aerial
4 snapshot in time that was different from your site
5 survey map snapshot in time in 1985?

6 A. I don't recall anything being different,
7 no.

8 Q. What was the purpose of developing this
9 archeological history for Mr. Schlichtmann?

10 A. Purpose for developing the archeological
11 history is to see if by looking at combination of
12 aerial photographs and the character and nature of
13 the material on the site if we could come up with
14 some approximation or even a good idea of when the
15 materials were disposed of there.

16 Q. When you say materials that were on the
17 site, what materials are you talking about?

18 A. Materials that we mapped. There are a
19 number of different types of materials on the site.
20 Basically it is debris, drums, construction
21 material, industrial material, industrial wastes
22 and things like that.

23 Q. Why were you concerned with when
24 construction material was deposited on the Riley site?

1 A. It would give us an idea hopefully we
2 could look at it and date something on it when
3 disposal activities went on on the site.

4 Q. Why was the date of disposal activities of
5 construction material important to your
6 investigation?

7 A. I think the date to put in a timeframe
8 when these materials were put on the site.

9 Q. Why?

10 A. To, let's see -- I guess you know, find
11 out knowing the hydraulics of the system when these
12 materials, when the contamination that's on the
13 site would have gotten to the wells.

14 Q. What does the date of deposit of
15 construction material on the Riley site have to do
16 with the date the contamination got to wells G & H?

17 A. That has a little bit to do but I also
18 mentioned there was industrial materials.

19 Q. I'm asking you about construction
20 materials right now. What does that have to do
21 with it?

22 A. I think it shows that the site has
23 undergone a history of disposal. It's been used as
24 a disposal site of all types of debris from looking

1 at the photographs what type of debris has been put
2 there, and it sort of gives a history of what the
3 site was used for.

4 Q. You were looking at construction debris
5 and industrial debris, is that what you said?

6 A. We were looking at all the debris that was
7 on the site.

8 Q. I'm trying to run through the ways you
9 would classify the debris. You have told me
10 construction, industrial. Any other debris you
11 have classified on the site?

12 A. I think there was general trash,
13 construction debris would be reinforcing road,
14 brick, concrete. A lot of things, bottles. Let's
15 see. Just general, what I'd call general trash.
16 The industrial debris would be drums, sludge, bung
17 caps, crushed barrels, rubber gloves, scrub brushes.
18 I have to think here. Just material that I would
19 relate more to an industrial activity than a
20 construction activity.

21 Q. When did you first get the assignment from
22 Mr. Schlichtmann to develop this archeological
23 history?

24 A. I don't think it was a specific time, you

1 know, a specific day to put that together. I think
2 after we had found the debris piles at the site we
3 decided it would be a good thing to look at them,
4 look at the history of the site and just see what
5 timeframes we're talking about.

6 Q. Would you define for me what a geologist
7 is interested in?

8 A. Geologist is interested in basically
9 anything that's associated with the earth. There
10 are different fields of geology but generally
11 geologists study the earth.

12 Q. Is there anything in your geological
13 training that helps you to determine the time when
14 certain chemical deposits were made on the earth?

15 A. Yes, in my particular training there is.

16 Q. What is that?

17 A. Knowledge of chemistry, a knowledge of
18 depth of burial of material and knowledge of the
19 surface materials, how they would behave to
20 materials being deposited on there, looking at
21 geomorphology of the site, also looking, I think I
22 said earlier, looking at the air photographs and
23 training in aerial interpretation. It is also a
24 standard practice in any hazardous waste site for

1 the geologist to look at a sequence of photographs
2 to develop a site history.

3 Q. What is geomorphology?

4 A. Geomorphology is the study of land forms.
5 In other words you look at the land form and
6 determine how it evolved, how long it took it to
7 evolve, wheter it evolved naturally or artificially
8 or by man.

9 Q. What does that have to do with the time of
10 deposits of chemicals, determining the time that
11 chemicals were deposited at a particular location?

12 A. I think you could look at depressions to
13 see if they were dug by man, if they were natural,
14 how much leaf litter is in them, the materials
15 associated with it. Geomorphology by itself alone
16 doesn't give you time, but it is looking at
17 everything together. One single discipline doesn't
18 give you an age of say 1200 B C or something like
19 that. It is not radiometric but you look at
20 everything you have to deal with.

21 Q. Have you developed any kind of a report
22 dealing with your archeological history of the
23 Riley site?

24 A. No, we have not yet.

1 Q. Have you given any oral summary to any one
2 of your archeological history of the Riley site?

3 A. I don't -- let's see. I discussed some of
4 this with Doctor Harris I think. The only person I
5 would have -- and of course with Mr. Schlichtmann,
6 but when Doctor -- we had Doctor Harris out there,
7 we discussed, I think, the 1969 air photograph and
8 also the degree of deterioration of the barrels on
9 the site, the decayed wood matter on the site and
10 just the general condition of the debris piles on
11 the site.

12 Q. The discussion you had with Doctor Harris
13 about the '69 photo, did that take place on the
14 site?

15 A. It took place adjacent to the site.

16 Q. What exactly did you tell him about the '69
17 photo?

18 A. I told him two things about the '69 photo:
19 that a lot of the debris piles that we could see on
20 the '69 photo correlated to the debris piles that
21 we had mapped in 1985, and that a lot of the
22 material that showed up on the '69 aerial
23 photograph had been removed.

24 Q. I am sorry. I missed that. The first

1 part you said the same debris piles that you see in
2 the '69 photo?

3 A. Show up in our mapping in 1985.

4 Q. Okay, and the second part of your answer
5 was?

6 A. Second part of the answer is that it
7 looked like a substantial amount of the material,
8 large drums and underground storage tanks that had
9 been stored on the property had been removed.

10 Q. Okay. If I understand what you're saying,
11 I think, is that in the 1969 photo, you found large
12 drums and underground storage tanks on the Riley
13 site?

14 A. On the surface of the Riley site.

15 Q. To be in that photo?

16 A. Yes.

17 Q. Do you know the date of the 1969 photo?

18 A. I don't, not precisely.

19 Q. Did you look at any other photos.

20 MR. SCHLICHTMANN: It is part of the
21 Harris deposition.

22 A. No, not from 1969.

23 Q. The large drums that you saw in the 1969
24 photo, were they closed or open?

1 A. Based on the altitude of the photograph,
2 it was impossible to tell whether they were closed
3 or open. It was also impossible to tell the
4 condition of those barrels.

5 Q. And how about the underground storage
6 tanks that were being stored above the ground?
7 Were you able to tell whether they were sealed or
8 unsealed?

9 A. You could not tell.

10 Q. Anything about their condition?

11 A. I think the only indication that they may
12 have been leaking was there was a lot of black
13 tarry sludge or heavy oil deposits throughout the
14 site and --

15 Q. You mean in 1985?

16 A. In 1985 and also on the photograph in '69
17 I believe you can see some of that tar. I'd have
18 to see the photograph again, but I think that's
19 correct.

20 Q. Your memory about the tar in the '69 photo,
21 where is it in proximity to the underground storage
22 tanks in the photo?

23 A. I'd say it is in proximity to them.

24 Q. How close to the underground storage tanks

1 do you remember the tar in the 1969 photograph?

2 A. Not having it in front of me, but I
3 remember it being associated with it.

4 Q. Well, is there a clear space between the
5 tar and the storage tanks in the photograph?

6 A. I could not say whether that's correct or
7 not.

8 Q. In looking at the 1969 photograph, do you
9 have any idea what was in the large drums or the
10 storage tanks?

11 A. I have no direct knowledge what was in
12 them. I can only assume based on what large
13 underground storage tanks are used for.

14 Q. You don't have any personal knowledge what
15 was in the tanks?

16 A. No.

17 Q. Or the large drums?

18 A. No, I don't.

19 Q. And you have not talked with anyone who
20 did have personal knowledge what's in them?

21 A. That's correct.

22 Q. What else did you tell Doctor Harris about
23 the 1969 photograph?

24 A. I think, as I mentioned earlier, that the

1 debris piles that we had mapped showed up in the
2 photograph. I think I indicated to him where Riley
3 well number 2 was. I indicated to him the outline
4 of the Riley property.

5 Q. This is on the 1969 photograph you are
6 indicating to him?

7 A. Yes, and I think that was it.

8 Q. Do you have any reason to believe that
9 either the large drums or those storage tanks in
10 the 1969 photograph have TCE in them?

11 A. I can only assume based on our analysis of
12 the soil and water adjacent to those piles that we
13 saw in 1985 that we see in the 1969 photograph that
14 those piles were the source of the trichloroethylene.

15 Q. The debris piles we're talking about, both
16 in '69 and that you saw there in '85?

17 A. Yes.

18 Q. Where are they in relation to the large
19 drums and storage tanks that you saw in the '69
20 photo?

21 A. Without having the photos, it is hard.
22 The debris piles are basically, well, they are in
23 the same position that we have mapped them in '85.
24 You have in a north-south projection, the site is

1 rectangular in shape. The road is lined with large
2 underground storage tanks.

3 The debris piles, as I said, are in a
4 northern part of the site on the western side of
5 the access road and on the eastern side of the
6 access road where the access road takes a bend to
7 the north northeast.

8 Q. Where along the access road were the
9 storage tanks in the '69 photo?

10 A. They were along the entire length of the
11 access road from the gate up until the, I guess the
12 northernmost boundary of the property.

13 Q. There were underground storage tanks all
14 along the access road in the '69 photograph?

15 A. Yes.

16 Q. And the sources of TCE that you were just
17 referring to are the debris piles, is that what
18 your answer was?

19 A. Based on our hand augering and our
20 drilling around those piles, I would assume, I have
21 no other answer for you, that the source of the TCE
22 would have come from those piles. There were other
23 areas on the site that had TCE and at high levels,
24 but that area looks like it has been cleaned up.

1 Q. Do you have any facts -- strike that.

2 Do you know whether the tanks or the
3 large drums in the '69 photograph contained
4 perchloroethylene?

5 A. The tanks that you're talking about, the
6 underground storage tanks?

7 Q. Right.

8 A. I have no data in my hand that would
9 suggest that they contained tetrachloroethylene.

10 Q. Same question for 1,2-transdichloroethylene.

11 A. The large underground storage tanks?

12 Q. Right.

13 A. Again, I was not there then. I can only
14 assume based on what I see in the soil and water.

15 Q. Any data concerning whether the storage
16 tanks had chloroform in them?

17 A. My answer is the same. I can only assume.

18 Q. Same question for benzene.

19 A. My answer is the same.

20 Q. And 1,1,1-trichloroethane.

21 A. In the large underground storage tanks,
22 again, I was not there. I didn't take a sample of
23 them.

24 Q. Okay. How about if I asked you the same

1 laundry list of chemicals concerning the large
2 drums that you say you saw in the '69 photo. Any
3 data?

4 A. Large drums we're talking 55 gallon drum
5 size? Based on their proximity, two things. One
6 of the drums we found had freon in it, had freon
7 labeled on it. One of the wells at the site has
8 freon in as a contaminant in the water. Second
9 point is that the drums are in an extremely
10 deteriorated condition. They are associated with
11 debris piles. These debris piles have extremely
12 high levels of tetrachloroethylene,
13 trichloroethylene, and 1,2-transdichloroethylene.

14 So based on that correlation of those
15 drums, those debris piles with the contaminant in
16 the soil and water, I can only assume that those
17 drums or whatever was in those piles of associated
18 drums had those chemicals in them.

19 Q. Did you try to locate any aerials between
20 1969 and 1984?

21 A. 1969 and 1984? No.

22 Q. So you don't know when during that time
23 period the storage tanks, the underground storage
24 tanks, were removed from the site?

1 A. That's correct.

2 Q. Or whether during that period of time any
3 of the large drums you see in the 1969 photo were
4 removed. Is that right?

5 A. That's correct.

6 Q. Is there anything in your training or
7 background that enables you from looking at the
8 condition of a drum today to say how long it's been
9 in that condition?

10 A. I would say indirectly. I am not a
11 metallurgist. I am a chemist. I used to work in
12 the heavy construction industry with oil drums and
13 things like that. For a drum to deteriorate to the
14 condition of the drums I have seen on the site some
15 of the drums are, you can pick up the metal, it is
16 just like metal filings. I think well in excess of
17 twenty years some of those drums have been there.

18 Q. That's a guess though, right?

19 A. It is a guess based on my professional
20 experience.

21 Q. Did you rely on the 1956 aerial photo to
22 develop your archeological history of the site?

23 A. We looked at the 1956 aerial photograph,
24 yes.

1 Q. And what did you see in the 1956 aerial?

2 A. We saw in the 1956 aerial was I guess a
3 number of things. The access road was there, there
4 appeared to be an access track over to the tannery.
5 There were -- there was an access road paralleling
6 the railroad track and there seemed to be some
7 distressed vegetation or some sort of distress in
8 the vegetation in the wetlands which I called the
9 peninsula which is where boring W 1 was drilled.
10 There also appears to be some sort of activity in
11 the middle of the swamp. It looks like someone has
12 a little house out there with some sort of white
13 dot type of material that it looks like something
14 was stored in the middle of the swamp right off the
15 present location of G.

16 Q. When you say right off the present
17 location of G, directly west?

18 A. Three hundred feet west of where G is now.
19 Also there was evidence along the west side of the
20 road in the same location where our debris piles
21 were mapped of some evidence of something being --
22 there is something there. There is white dots.
23 I'm trying to elaborate for you.

24 It is clearly -- there is something

1 has been disposed of in that air photograph, so
2 where we have a debris pile in 1985, there is a
3 debris pile in 1969 and the same location on the
4 air photograph there seems to be something there in
5 1956.

6 Q. The something that's there in 1956 you
7 described as white dots?

8 A. White dots. The air photographs are not
9 completely crisp, but there is clearly something in
10 the same location.

11 Q. You also used the term white dots when you
12 were talking about the house in the swamp?

13 A. No, I said there was a house in the swamp
14 associated with white dots.

15 Q. What did you mean by that?

16 A. It looks like there is something next to
17 the house in the swamp. What it is, I don't know.
18 I can't tell from the air photograph.

19 Q. Do you intend to do any further work in
20 connection with your archeological history?

21 A. I think that white area in the swamp where
22 the house is and the white dot, we'll probably have
23 enlarged to see if we can define better what's
24 going on there.

1 Q. Any other archeological historical work
2 you intend to do?

3 A. Umm, we were hoping, again weather
4 permitting, and health and safety permitting, to
5 examine more closely some of the debris piles for
6 an artifact that we could possibly date. It may be
7 successful. It may not be successful. I haven't
8 talked to Jan directly about this.

9 Q. When did you look at the 1956 aerial for
10 the first time?

11 A. I looked at the 1956 aerial approximately
12 a week ago I think.

13 Q. Had you been working on the archeological
14 history of the site before that?

15 A. Yes, we had.

16 Q. When did you start working on it?

17 A. I think from conception on when we were
18 out there mapping the first time, I started to do
19 the surface mapping, we could see different
20 generations of material and that's when the idea
21 germinated.

22 Q. What do you mean by different generations
23 of material?

24 A. Well, as I said earlier, clearly some of

1 the material had been dumped very recently.
2 Clearly some of the material has been there,
3 bulldozed and removed. Clearly some of the
4 material hasn't been disturbed in a long time. So
5 the area that was bulldozed and removed we could
6 see beer cans in there and things like that and
7 there are people out there who look at things like
8 this, beer cans, whatever, and can tell when they
9 were produced.

10 Q. I take it you're not one of the people
11 that can do that?

12 A. No, I just savor what's inside of them.
13 There are other ways. There are different types of
14 bung caps and liners, different technology for
15 barrel packaging that's out there. So by looking
16 at these, maybe not being an expert in the field,
17 come up with an idea when the barrel was produced.
18 There is a lot of newspaper out there, too.

19 Q. We went through before some of the
20 equipment you had with you on your site survey.

21 A. Uh-huh, yes.

22 Q. Aside from what that equipment told you,
23 did you make any visual observations on any of your
24 visits to the site, pure visual observations?

1 A. Of course.

2 Q. That is data that is relevant to your
3 investigation of the extent of contamination on the
4 Riley site?

5 A. Yes, every time we were out there we were
6 looking around, and I think being a scientist, you
7 can't go to a place and just look at something with
8 blinders on. You're always looking around the
9 ground and looking at different things.

10 Q. What did you see unaided by any machinery,
11 just what did you see visually, that's data
12 relevant to your investigation?

13 A. The total investigation?

14 Q. Yes.

15 A. I saw a site that had locally extreme
16 levels of dumping of industrial debris, I saw
17 evidence of all types of different chemicals being
18 disposed on the site.

19 Q. You saw chemicals being disposed?

20 A. No, I said evidence for different
21 chemicals being disposed on the site.

22 Q. What was the evidence?

23 A. The evidence was rusted drums, bung caps,
24 sludge, cans of oil. Let's see. Drums that

1 contained sludge, drums that contained wastes from
2 an industrial operation, rubber gloves, rubber
3 boots, scrub brushes, material that came from some
4 sort of non-construction operation. There are a
5 lot of caps that had chemical names on them: Dow
6 Chemical, Union Carbide. There were caps that said
7 read label before using. Stop, danger, pesticides.
8 There was a label there for malathion. Besides the
9 obvious obnoxious odor from the piles, smelled like
10 the old organic chemistry lab, that type of
11 evidence indicated to me that disposal had taken
12 place.

13 Q. Anything else?

14 (Interruption for phone call).

15 A. Let me think. I want to be as complete as
16 I can for you.

17 There were plastic drums, plastic
18 jars, black sludge, there was a strange brownish
19 really heavy odor type of material, sort of like a
20 resin. Someone had deposited some hematite there.
21 Hematite is iron oxide, Fe_2O_3 . There was a lot of
22 barrel -- lot of five gallon buckets, lot of lid
23 tops. There were a lot of small components from
24 cars and radios and refrigerators and, you know,

1 just associated junk is what I'd call it more than
2 anything else.

3 I think that's complete. There was
4 more there than I expected to see is probably the
5 best way of putting it.

6 Q. Now, when you made these visual
7 observations, were you distinguishing in your mind
8 at all about what kinds of chemicals if any they
9 would be a source of?

10 A. When I was looking at it, yes, I would
11 look at a particular object and wonder, one, what
12 that object was doing there, two, what it could
13 contain and three, how did it get into where it was
14 on the site. So every object that I looked at on
15 that site, whether it was from an industrial point
16 of view, a commercial waste point of view or just
17 general trash, I always had that in the back of my
18 mind.

19 Q. When you made the visual observations of
20 these things on the site, did you make any mental
21 note about what types of contaminants they might be
22 a source of?

23 A. I guess it is a -- I have two answers.
24 Some cases I had no idea what the contaminants

1 would be. In other cases based on the smell, what
2 I could smell at the piles, I knew there would be
3 volatile organics there, I knew there would be
4 pesticides there. And some places where we found
5 contamination in the subsurface, I guess in some
6 instances I was surprised that it was there, but
7 the places that we found it and the places that we
8 saw it, the chemicals that we found and the
9 compounds we found did not surprise me.

10 Q. Why were you surprised about finding the
11 chemicals in the subsurface in some situations?

12 A. Because the surface, particularly near
13 well five, the surface is basically clean of any
14 debris, but there are extremely high levels of
15 trichloroethylene subsurface. However looking at
16 the area in detail once we were aware of that, we
17 could see there was, there has been a lot of bull-
18 dozing activity in there and some clearing and
19 there are small pockets of surface disposal. That
20 number I guess was somewhat surprising, but in
21 hindsight looking back and looking at it with the
22 data in hand, it makes more sense.

23 Q. In addition to those visual observations
24 you had with your H Nu machine, right?

1 A. Yes.

2 Q. How long have you been using an H Nu?

3 A. I did not use the H Nu.

4 Q. How long have you been involved in
5 investigations where an H Nu was used?

6 A. I think approximately two years.

7 Q. Was the H Nu machine around prior to two
8 years ago?

9 A. I'm not sure when the H Nu machine was on
10 the market. The technology has been around longer
11 than a couple of years, yes.

12 Q. You have been involved for longer than a
13 couple of years in sight investigations concerning
14 chemical wastes, haven't you?

15 A. I have been involved in sight
16 investigations, particularly chemical wastes,
17 probably the last two to three years.

18 Q. And am I right that the H Nu machine has
19 only been around generally available for those kind
20 of investigations within about that same timeframe?

21 A. I don't have a direct answer for you. I
22 am not sure when the H Nu machine was commercially
23 available.

24 Q. You're a chemist, right?

1 A. Yes.

2 Q. Worked with volatile organic chemicals?

3 A. Yes.

4 Q. But prior to two or three years ago you
5 didn't work with an H Nu; is that correct?

6 A. That's correct.

7 Q. The other machine you talked about was the
8 combustible gas machine, is that what it's called?

9 A. Yes.

10 Q. How long were you or investigations you
11 been involved in been using combustible gas
12 machines?

13 A. I did not run the machine. The only time
14 I had used that type of instrumentation before was
15 in mining, methane in tunnels and things like that.

16 Q. On other hazardous waste site
17 investigations that you have been involved with,
18 have they ever used combustible gas machines?

19 A. Yes, they have.

20 Q. Can you tell me about generally how long
21 that combustible gas machines have been in use?

22 (Interruption for phone call).

23 A. I don't have a direct answer for you.

24 Q. You don't know?

1 A. No.

2 I would assume ever since the
3 technology is available, it's been used.

4 (Mr. Facher left the deposition).

5 Q. And how about the toxicity meter that you
6 mentioned, have you ever been involved with any
7 other site investigations where they have used a
8 toxicity meter?

9 A. I don't recall specifically. If I had, I
10 wasn't aware of it on the site. Someone else may
11 have been running it.

12 Q. Do you know how long toxicity meters have
13 been used by people investigating chemical sites?

14 A. Again, I don't have a direct answer for
15 you. I would assume since the technology is
16 available those instruments were used. The main
17 reason is to protect people's health and safety.

18 Q. You also mentioned you looked at a 1966
19 aerial.

20 A. Yes.

21 Q. In connection with your archeological
22 history?

23 A. Excuse me, no, I did not look at it. I
24 read a review of that in Woodward & Clyde's report.

1 I stand corrected. I'm sorry.

2 Q. Are you relying on this review at all in
3 connection with your archeological history?

4 A. I would rely on the interpretation that
5 Woodward Clyde has put forth in their report to
6 substantiate how I feel about the archeology, yes.

7 Q. What specifically are you relying on in
8 the report?

9 A. According to Woodward Clyde, their report
10 states, I don't know verbatim obviously, but the
11 debris pile west of the access road that they saw
12 when they did their site investigation correlated
13 one to one with a debris pile that they saw on a
14 1966 air photograph.

15 Q. Anything else in the Woodward & Clyde
16 report concerning that 1966 aerial that you're
17 relying on?

18 A. I think they saw -- concerning the aerial
19 you said?

20 They also saw some areas of tar and
21 black oil substance on the air photograph in the
22 same locations that we had mapped in the field. My
23 only comment in 1985, it wasn't as extensive as in
24 1966. The area had been bulldozed and it wasn't a

1 big black tarry mass as they portrayed it.

2 (Mr. Facher rejoined the deposition).

3 Q. Anything else in the report that you are
4 relying on concerning the '66 aerial?

5 A. I don't recall right now. Talking about
6 only the aerial? I don't recall.

7 Q. Could you give me the substance of the
8 archeological history that you have developed for
9 this site?

10 A. The substance of the site is -- which way
11 you want to go? Forward or backyard?

12 Q. However it is easiest for you to explain
13 it to me.

14 A. I think it is easier for me to explain it
15 from 1985 back since 1985 is clearest. What's in
16 my mind, I guess the substance of the archeological
17 study to date is that the debris piles that we see
18 in 1985 are clearly evident in 1969. The Woodward
19 & Clyde reports in 1966, debris piles are there
20 also and that in 1956 the photograph strongly
21 indicates that there is also a debris pile there in
22 1956. So based on present site investigation,
23 coupled with our subsurface analysis, coupled with
24 the air photographs, I would say that there was

1 concerning Weston's work.

2 MR. FACHER: Okay, thank you.

3 Q. Were there any enlargements made of any of
4 these aerial photographs that you're aware of that
5 you examined in detail?

6 A. Let me just step back.

7 Q. Please do.

8 A. The 1969 photograph is an enlarged
9 photograph, okay? So I did not do that.

10 Q. All right. Go ahead.

11 A. Only enlargement that I would like to see
12 is the '56 one. The other ones, no.

13 Q. Did you examine the photograph with any
14 special equipment or just with the naked eye, the
15 '69 photograph?

16 A. The '69? I looked at it with a magnifying
17 glass.

18 Q. Hand magnifying glass?

19 A. Hand magnifying glass.

20 Q. Of an ordinary vintage or was it --

21 A. It is something a drafts person would use.
22 It has a light in it.

23 Q. That big one with the light?

24 A. Yes.

1 Q. I see. Okay. And it was after the use of
2 magnification you came to the conclusion as to what
3 it was that you saw?

4 A. I came to my conclusion prior to using the
5 magnifying glass. I used the magnifying glass to
6 see if I could individually count what was there,
7 you know, sort of enhance what was there.

8 Q. So you knew what it was, you just wanted
9 get a better look at it?

10 A. Better look, that's correct.

11 Q. When did you reach the opinion that you
12 intend to render if asked in this case?

13 A. I think we basically had that opinion,
14 well, we had that opinion looking at both the '69
15 and reading about the '66 study by Woodward & Clyde.
16 It wasn't until just recently when we saw the '56
17 one that we felt we could take it, based on the air
18 photograph, back further.

19 Q. I'm sorry. You had the opinion when you
20 read the Woodward & Clyde report you say?

21 A. We had the opinion that based on the
22 Woodward Clyde report of '66, because we did not
23 have that photograph, we had the '69 air photograph,
24 we knew that the material had been there at least

1 till 1966 which we felt confirmed our opinion that
2 the material had been there for quite a number of
3 years.

4 Q. You knew the material had been there since
5 '66 which confirmed your opinion that it -- that
6 sound backwards to me.

7 A. We had an opinion the material had been
8 there for quite a number of years.

9 Q. Uh-huh.

10 A. And just based on the physical
11 characteristics of the material.

12 Q. Well, you saw that when you looked at it?

13 A. Yes.

14 Q. I mean you looked at it and you said this
15 is really old crap here, right?

16 A. Your words, yes, that's right, sir.

17 Q. That was your thought, too?

18 A. Yes.

19 Q. So this is really old crap. So then --
20 see how he laughs. He enjoys it.

21 And then you decided you'd date it.
22 Is that the way it works?

23 A. We would like if we could come up with a
24 viable method to date. The only method we have now

1 is looking at the air photographs and looking at
2 the physical characteristics of the material. I
3 feel very strongly that the physical
4 characteristics indicate it has been there a number
5 of years.

6 Q. That probably is true. May be there a
7 number of years. Let's talk about it for a while.
8 Before we do that, you saw what was old material
9 within the first couple of hours of walking around
10 that site, right?

11 A. Yes.

12 Q. I mean did you see the bedsprings and the
13 mattresses and all the rest of that stuff?

14 A. Uh-huh.

15 Q. And some of it was recent and some of it
16 was not so recent?

17 A. That's correct.

18 Q. And rubber boots in there, you took them
19 out and cleaned them, somebody could wear them?

20 A. I don't think I would attempt do that, sir.

21 Q. Others there would fall apart in your
22 hands maybe?

23 A. I think most of the rubber boots we had
24 seen were of the latter topic, fall apart in your

1 hands.

2 Q. Did you see a couple of safes out there?

3 A. There is a safe out there, sir.

4 Q. You determined it to be a safe, a weird
5 looking object?

6 A. Yes.

7 Q. Did you date the age of the safe?

8 A. No.

9 Q. And suppose a safe is dated 1884, does
10 that tell you that it was put on the property in
11 1884?

12 A. No, sir, it tells you when it was
13 manufactured.

14 Q. Sure. So you could, you had somebody that
15 was tearing out his old porch or something, he
16 could take a whole pile of rotted wood last week
17 and put it out there and then you'd have rotted
18 wood on the premises, right?

19 A. What you're saying is in general terms
20 correct, but it is not the type of material that we
21 had seen there.

22 Q. You didn't see any back porches there?

23 A. No. Most of the material was covered with
24 vines or leaves. There was vegetation growing

1 around it.

2 Q. You and I must have been looking at
3 different material. But anyway --

4 MR. SCHLICHTMANN: There is plenty of
5 it to go around.

6 MR. FACHER: Yes, there is a lot to
7 go around. And plenty of crystal balls to go
8 around, too.

9 Q. You looked at the drums on the photograph.
10 When were -- in the '66 photograph, '69 photograph?

11 A. Uh-huh.

12 Q. Could you tell when they had been put on
13 there?

14 A. I was, looking at the photograph,
15 obviously you cannot tell when they were put there.
16 All I can tell by looking at the photograph that it
17 was previous to 1969.

18 Q. Well, all you could tell from looking at
19 the photograph, assuming you're right, was that it
20 was a photograph showing some drums?

21 A. That's correct, sir.

22 Q. Day after the photograph, the drums could
23 have been gone?

24 A. Anything is probable, yes.

1 Q. Drums could have been put on there the day
2 before the photographs?

3 A. It's possible, sir.

4 Q. Do you know that I'm wrong?

5 A. The only way I can correct your statement
6 is that the 1966 photograph interpreted by Woodward
7 & Clyde shows debris piles where we see them in
8 1969 and where we see them in 1984.

9 Q. Well, that may be, but the barrels, no way
10 you know that the barrels you saw in '69 are the
11 barrels on the photograph with the barrels that
12 Woodward & Clyde saw or the barrels that are on
13 there today if you walk out there, is there?

14 A. I would say the probability is high that
15 the barrels that you see in the locations in 1985
16 were the same barrels that were there in 1969, were
17 the same barrels there in 1966.

18 Q. You're giving me probabilities now?

19 A. Well, you're asking me a question. You
20 want me to give you an answer? Anything is
21 possible. I've given you my answer as a scientist
22 who has looked at what's there.

23 Q. Well, what's the science that deals with
24 metals?

1 A. Science that deals with metals is
2 metallurgy I would say.

3 Q. And these people that date things by
4 looking at the rings on trees and things, what
5 science is that?

6 A. The name -- let's see. The name alludes
7 me right now.

8 Q. But there is a science like that?

9 A. There is, yes.

10 Q. You're not a member of that science or
11 you'd know the name of it. I assume you'd know the
12 name of it. Is there a science that dates beer
13 cans, or is that just a visual observation?

14 A. There are.

15 Q. Or trivia?

16 A. I don't think it is an acceptable
17 scientific name but there are people who are
18 experts in marketing, not marketing, in the container
19 industry and they know what type of containers are
20 made a certain time of year.

21 Q. Well, did you examine the barrels that
22 were out there very closely?

23 A. The ones that I felt comfortable getting
24 close to I examined, yes.

1 Q. Well, did you cut specimens and take them
2 to a laboratory, anything like that?

3 A. I did not.

4 Q. Any materials did you take out of there
5 and subject to some kind of analysis?

6 A. No, we were not allowed to take any
7 material off the site.

8 Q. Well, did you ask to do it?

9 A. At that time we did not.

10 Q. Did you take pictures?

11 A. We took pictures, yes, sir.

12 Q. Of barrels and so forth?

13 A. Yes, sir.

14 Q. Did you do anything other than visual
15 examination? Did you poke at them at all?

16 A. We poked at some of the ones that we felt
17 were safe to poke at.

18 Q. Well, I have been out there a few times
19 wondering around and didn't feel too unsafe. There
20 was areas you felt unsafe to go into?

21 A. Yes, sir, definitely.

22 Q. I see. So you relied on the visual
23 observation I take it from a distance of these
24 unsafe areas?

1 A. For the unsafe areas we relied on our H Nu
2 meter and the results of our chemical analysis.

3 Q. Neither of those dates anything.

4 A. That's for health and safety, yes.

5 Q. At least I'm right on that. All right,
6 sir, did you have a mettallurgist with you?

7 A. No, we did not.

8 Q. Well, did you date any of the boots that
9 were there?

10 A. We did not touch any of the boots that
11 were there.

12 Q. Well, you looked inside them and did you
13 get any information from them?

14 A. No, we did not.

15 Q. Or the bedsprings or any of that stuff?

16 A. The bedsprings we looked at them. Some
17 were rusted. Some weren't. No, we didn't date.

18 Q. Well, do you know anything about who put
19 the material on the property?

20 A. I don't have the faintest idea.

21 Q. Do you know anything about how long the
22 property was on the site, these -- apart from your
23 opinion, I'm talking about knowledge?

24 A. How long what was on the site, sir?

1 Q. Various objects or debris items were on
2 the site, how long the bedsprings were there, did
3 you make any opinion as to them?

4 A. The bedsprings I don't specifically recall.

5 Q. I don't have your map but you had every
6 match stick that you saw on the ground as I
7 remember it.

8 A. Yes, we did.

9 Q. Did you date each object?

10 A. We looked at each object. Obviously put
11 it on the map. Some objects we looked at in more
12 detail than others, that's clear.

13 Q. Now, this all comes back to the same
14 question. When did you form the conclusion with
15 respect to the fact that these -- that certain
16 artifacts had been on the property for 30 years?

17 A. I think as I have --

18 Q. Is that right, thirty years is what we're
19 talking about, isn't it?

20 A. Based on the air photography we can trace
21 back site activity.

22 Q. All right. When did you come to that
23 conclusion?

24 A. In two steps.

1 Q. Okay. Give us two dates.

2 A. I can't give you a specific date. The
3 first step is looking, as I said, the nature of the
4 material on the site.

5 Q. So that's summer of '85?

6 A. That summer we felt it was old.

7 Q. Old stuff. Okay. And it wasn't until --

8 A. We knew it was old. There is no two ways.

9 Q. Just like me.

10 MR. SCHLICHTMANN: Not that old.

11 Q. All right. You knew it was old.

12 A. Looking at the various air photographs
13 confirmed our opinion or our observation that the
14 material was old.

15 Q. You keep saying the material, but there
16 must be what, 50 different or maybe a hundred
17 different kinds of material there?

18 A. Specifically the debris piles. There is a
19 lot of material on the site that is recent.

20 Q. I'm not sure I understand what you mean by
21 debris piles. Are they mounds that you go up and
22 down? Is that what you mean by debris piles?

23 A. They are mounds, probably I would guess
24 approximately this high (Indicating). They contain

1 drums in them. Specifically the debris piles are
2 where the high levels of the organic solvents are
3 found. That's what I'm talking about.

4 Q. What's in the debris piles?

5 A. In the debris piles there are 55 gallon
6 drums, there are --

7 Q. This is in every debris pile or some
8 specific?

9 A. Okay, there are two debris piles. One
10 about three quarters of the way up the access road
11 on the west side and then you go another, let's say
12 about a hundred feet.

13 Q. The west side is towards the railroad
14 tracks?

15 A. Towards the railroad tracks and then you
16 go up the road another 60 to 70 feet and there is
17 another debris pile right on your right-hand side
18 across the street, across the road from the MBTA
19 station.

20 Q. Is that near one of your wells?

21 A. That is near EPA well 78 and Woodward &
22 Clyde well number 1.

23 Q. So those are the two you are talking about?

24 A. Those. Yes. There are other debris piles.

1 Q. What are in those, what objects?

2 A. Rusted drums, there is the black tarry
3 sludge. There is some wood. There are lots of
4 bunk tops, plastic bunk tops. There are the ring
5 liners that go underneath a 55 gallon drum. There
6 is tins of pesticide caps. There is a lot of just
7 dirt and debris with metals in it, metal nails,
8 gloves, there is plastic, paper drums, old
9 cardboard drums, paper drums or the old cardboard
10 type drums.

11 Q. You're talking about a paper 55 gallon
12 cardboard drum?

13 A. They are made of cardboard, yes.

14 Q. How about some auto parts or parts of
15 automobiles?

16 A. There may be. I don't recall specifically.

17 Q. See bumpers there?

18 A. There are bumpers there, not those
19 particular piles, but there is bumpers. There is a
20 car body frame there. Elsewhere there is
21 refrigerators and things like that, a couple of
22 radios.

23 Q. Has that, has there been earth moving in
24 those areas? When you say piles, are there piles

1 of earth that have been built up?

2 A. There has been earth moving on the site,
3 yes.

4 Q. I mean in these debris piles you have
5 talked about, is that as a result of some earth
6 being moved as well there to build it up?

7 A. No, these two debris piles are not the
8 result of earth moving.

9 Q. So you saw those on the site and then you
10 looked at the aerial photograph of '69 and you said
11 this photograph shows two debris piles in the same
12 place?

13 A. Yes.

14 Q. Or that you, as you concluded, it was the
15 same place. Could you conclude that the same
16 bumper and refrigerator and paper drums and all
17 that stuff was there in the aerial photo?

18 A. The aerial photograph is not that fine
19 detail to identify a single object.

20 Q. Fine enough for you to identify 55 gallon
21 drums you say?

22 A. You can see the tops, yes.

23 Q. Were they open or closed in the aerial
24 photograph?

1 A. In the aerial I could not determine.

2 Q. Were they filled or empty?

3 A. I couldn't determine.

4 Q. Did they have chemicals or cow manure in
5 them?

6 A. It is based on the air photograph. I
7 can't tell you what's in the drum. Based on the
8 chemical analysis of the oil around the drums I
9 would assume that the contents --

10 Q. Did you do a chemical analysis in '69?

11 A. No, I did a chemical --

12 Q. You mean based on what you did 16 years
13 later you are backdating the chemicals to '69, is
14 that the way it works?

15 A. No. Excuse me. I am using the present
16 day debris piles and the present day chemical
17 analysis surrounding the debris piles to show that
18 those debris piles are a source of contamination.

19 Q. Today?

20 A. Right now there is contamination in the
21 soil.

22 Q. But you also have the opinion that or do
23 you have the opinion that they were the source of
24 contamination, the same piles, in '69?

1 A. I would say in 1969 those piles were a
2 source of contamination also.

3 Q. The only thing you know about what was
4 there in '69 was what you saw in the aerial
5 photograph?

6 A. That's correct.

7 Q. How much of any given material did these
8 barrels contain, do you know?

9 A. I can't answer that, sir.

10 Q. What was, you said something about a
11 building you thought was on the property. Did I
12 hear you correctly?

13 A. Yes.

14 Q. What kind of a building?

15 A. Based on the debris and the cellar hole, I
16 would say it would be a wooden building.

17 Q. You found debris -- did you see any
18 foundation? There is no foundation out there, is
19 there?

20 A. It was a hole.

21 Q. There is no concrete that I saw. Did you
22 see any?

23 A. There may have been concrete blocks in
24 there. I'm not certain but there was not a poured

1 -- I don't mean a tree but --

2 A. I'm trying to be as accurate as I can for
3 you, sir.

4 I think the only other difference
5 between the '69 photograph and our mapping was that
6 there were two access roads to the west of the site
7 which are now disused. I think that was the
8 difference. You have been on the site. You know
9 where the fence comes up and there is some concrete
10 blocks there?

11 I think that is it --

12 Q. So the '69 photo as far as you're
13 concerned and the mapping are in all practically
14 identical except for these missing storage tanks
15 and maybe the disused road?

16 A. No, I don't think I said that.

17 Q. I'm deducing from that. If it is wrong,
18 then I'm wrong.

19 Just to make myself clear, you say
20 there are underground storage tanks resting on top
21 of the ground?

22 A. Yes.

23 Q. You haven't devised a way to see through
24 the earth yet with the naked eye?

1 A. They are coming up with techniques.

2 Q. Do you have any idea whether these
3 underground tanks you described have any value at
4 all?

5 A. I assume they have value as scrap. I'm
6 not sure whether they are reused. I would assume
7 their only value would be for scrap.

8 Q. There are a lot of them in the Whitney
9 Barrel place?

10 A. Presently I think you're correct.

11 Q. Is it the same kind of thing, is that what
12 you're talking about?

13 A. Yes.

14 Q. About what, 50 feet long?

15 A. Approximately.

16 Q. What do they hold, gallonage?

17 A. I would guess if they are for underground
18 storage of gasoline or fuel oil, whatever, five,
19 six and up to ten, twenty -- probably ten thousand
20 gallons of liquid.

21 Q. Now, you told us about the mapping and
22 then you saw the aerial photograph. Is that when
23 you concluded you could date how long the barrels
24 had been there?

1 A. No. I think we concluded it was more a
2 reaffirmation of our feelings.

3 Q. What I am trying to find out, sir, is what
4 objects do you say were on the Riley property for
5 thirty years, if any?

6 A. I would say based on the examination of
7 the photographs, our surface mapping in conjunction
8 with the soil and water chemistry, that just north
9 of Woodward Clyde well 6, that in 1956 the air
10 photograph shows some activity in that area, so
11 there was something in that area in 1956 any way.

12 Q. So you say that the air photograph shows
13 something in 1956 in one area and you say was there
14 when you were there in 1985?

15 A. Based on our mapping, we have something
16 that was there in 1956 and we can map something in
17 the same location in 1985. The material that we
18 see in 1985 looks like it's been there, has been
19 there based on its physical characteristics.

20 Q. Is that your opinion that something you
21 saw in 1985 was in a certain spot on the northern --
22 north of a certain Woodward & Clyde well that that
23 object was there in 1955 or 6?

24 (Mr. Stewart left the deposition).

1 A. I don't think it is a single object. I
2 think is a conglomeration.

3 Q. How would you describe -- strike it out.
4 How would you describe what the material that you
5 say, if you do say it, was on the property for
6 thirty years, how would you describe it physically
7 and geographically?

8 A. In terms of 1985? In terms of 1985 in
9 terms of what we can see physically on the site is
10 north of that location where well 6 is, we can see
11 a pile of debris that contains all the material
12 which we discussed earlier.

13 Q. One of these two debris piles, is that
14 what you described that has material in it that you
15 described before?

16 A. Yes.

17 Q. And it could have other stuff as well?

18 A. It could have other stuff. We haven't,
19 you know, excavated a pile.

20 Q. Did you make a list of everything that was
21 in that pile that you're now talking about?

22 A. Yes, we did make a list.

23 Q. Where is that list?

24 A. The list is on the map.

1 Q. Well, now, I don't have -- I can't place
2 myself by well numbers, so take me down the access
3 road to where this site is.

4 A. You walk in the gate and if you look
5 directly to your left that's a little road coming
6 up to where pumping well number 2 is.

7 Q. That goes towards the railroad tracks?

8 A. You keep walking down the road on the left,
9 there is another access road going into where the
10 railroad tracks. You keep walking a little bit
11 further, perhaps three hundred feet total from the
12 gate, and on the left-hand side again, there are
13 two steel pipes sticking out of the ground where
14 the safe is and there is a couple of concrete
15 blocks there that are foundations to, I think, I-beams.

16 Q. Right.

17 A. And go approximately 50 more feet and you
18 look towards the left and you'll see a pile of
19 debris which includes drums and some sludge and tar
20 and material like that.

21 Q. Okay. Now, is that, do you say that all
22 the material in that pile of debris was there in
23 1955?

24 A. No, sir, I didn't say all that material

1 was there in 1955.

2 Q. Do you say any of it was there in 1955?

3 A. I would say based on the '55 -- based on
4 the photograph that we are alluding to in that
5 location on the ground in 1956 there is something
6 on the ground.

7 Q. Well, you saw a photograph that showed
8 that there was something on the ground in '56?

9 A. Yes.

10 Q. Do you now say that the same things that
11 you saw in '85 were there in '56 in that spot?

12 That's what I'm trying to figure out.

13 A. Not every single item, sir.

14 (Mr. Stewart rejoined the deposition).

15 Q. What items were there in 1956 if there
16 were any that you can testify about?

17 A. Let's see. I'm trying to envision the
18 pile. Based on the deterioration of some of the
19 metal there, I would say some of that, the metal in
20 the pile was probably there then.

21 Q. Well, what metal do you say was there in
22 1956 and we'll give you a chance to answer that,
23 but is there one identifiable, just to make sure
24 we're all talking about the same thing, is there

1 one identifiable spot so if you took a stranger, or
2 one identifiable feature if you took a stranger out
3 there, he would immediately recognize what it was
4 you were talking about?

5 Is there something identifiable like
6 a flag planted in the center of the pile or a bed-
7 spring sticking out or something that we can label
8 this pile that are were talking about?

9 A. A stranger would be able to find the pile,
10 yes.

11 Q. What would you call it, just a pile with a
12 bumper in it?

13 A. No, no, a pile with six or seven 55 --
14 rusted 55 gallon drums quite prominent.

15 Q. Is there one like that?

16 A. Yes.

17 Q. See I want to pin you down so that you
18 don't, when I ask you about it later on, you don't
19 tell me, oh, you were asking questions about the
20 wrong pile.

21 A. We have on the map -- it has, every pile
22 has an indication.

23 Q. I'm warning you in advance.

24 MR. SCHLICHTMANN: Very decent of you.

1 A. I would say it is the debris pile on the
2 west side of the road just north of Woodward &
3 Clyde cluster number 6.

4 Q. Is there a pipe sticking up that has a
5 well tag on it or something nearby?

6 A. Yes, well 6 is marked.

7 MR. FACHER: Well 6. Do you know
8 what he is talking about now?

9 MR. STEWART: I have a general idea.

10 MR. FACHER: I need a specific idea.

11 Q. All right, sir. And you have photographs
12 of that?

13 A. Yes, we do, sir.

14 MR. FACHER: And I'll ask Mr.
15 Schlichtmann if he wants to answer, do we have
16 those?

17 MR. SCHLICHTMANN: Yes, you have all
18 of those.

19 MR. FACHER: What deposition are they
20 in?

21 All the Harris exhibit?

22 MR. SCHLICHTMANN: There is, I think
23 there is three aerial photographs.

24 Q. Would you be able to identify the

1 photograph of what we're talking about?

2 A. If I saw the photograph.

3 Q. You could spot it?

4 A. I could show you the location.

5 Q. Then I'll get those photos for you. But
6 there isn't any shorthand way we can describe this
7 location?

8 A. I think the shortest way is, as I said.

9 Q. Near Woodward & Clyde 6?

10 A. West side of the access road just north of
11 Woodward Clyde cluster 6.

12 Q. You mentioned something about Mann
13 Chemical. Is that where those were, the barrels
14 with Mann?

15 A. No.

16 Q. Not there. You mentioned something about
17 freon, is that where --

18 A. No.

19 Q. Not there either?

20 A. The barrels in this pile are, all the
21 marks are indistinguishable.

22 Q. You can't tell?

23 A. Can't tell. Totally rusted.

24 Q. But you can still smell them?

1 A. You can smell them, yes.

2 Q. After thirty years you can still smell it?

3 A. You cannot smell the barrels. You can
4 smell the pile.

5 Q. Oh, I see. You didn't find Jimmy Hoffa or
6 anybody in there?

7 MR. SCHLICHTMANN: We were looking.

8 A. Let me explain.

9 Q. Make an even better case.

10 A. We did sniff one of the barrels with our
11 instrument. Actually we sniffed all of the barrels
12 with our instruments and one of the barrels in that
13 pile had high vapor readings.

14 Q. That would make it relatively recent,
15 wouldn't it?

16 A. Well, it depends what's in there. I don't
17 know much. We didn't open up the barrel. We
18 didn't want to fool with things we didn't know.

19 Q. A closed barrel?

20 A. It was open a little bit.

21 Q. You mean there was a top on it?

22 A. There was, yes, I think there was a top on
23 it.

24 Q. And you didn't open it at all? You just

1 sort of sniffed it?

2 A. Sniffed it and I think some of the barrels
3 contained plastic containers.

4 Q. You mean just plastic stuffed inside the
5 barrel?

6 A. Yes.

7 Q. What would that tell you, that there was
8 something encased in plastic that had been in the
9 barrel?

10 A. It told me two things, that the barrel had
11 been used, somebody just put some trash in it
12 because sometimes the barrels contained plastic
13 gloves and things like that. It looked like
14 somebody used it for a trash barrel.

15 Q. Well, there are all kind of things come in
16 barrels, not necessarily chemicals.

17 A. That's correct.

18 Q. Did you smell the sweet odor or the odor
19 that you described before in this pile?

20 A. In that pile there, the odor I smelled --
21 I personally smelled the strongest was of, I would
22 call it a pesticide type of odor.

23 Q. I see.

24 A. Generally we were near that pile we'd have

1 our respirators on.

2 Q. Do they come plastic wrapped, any
3 pesticides in powdered form?

4 A. I am trying to think. Most of the
5 pesticides I have seen are in liquid form but some
6 could come. I don't know for that particular
7 question.

8 Q. Did you smell the sweet, I think was it --
9 is that the way you described it, sweet odor?

10 A. It could have been a sweet odor sometimes.

11 Q. Did you smell the odor that you smelled at
12 Whitney Barrel?

13 A. No.

14 Q. You didn't smell that?

15 A. It was a different odor.

16 Q. Anywhere on the property you didn't smell
17 that sort of cleaning fluid type smell or sweet
18 smell?

19 A. I have smelled sweet smells on the site
20 but not that particular pile.

21 Q. Okay and that's the same smell you smelled
22 on Whitney Barrel, isn't it?

23 A. Similar. I'm not sure if I would call it
24 the same.

1 Q. I'm not making you out to be a smell
2 expert, just trying to --

3 A. I could smell something at both locations.

4 Q. Which metal -- do you say that some
5 particular metal in this, let's call it Woodward &
6 Clyde number 6 west side, which metal do you say if
7 any was there thirty years?

8 A. Trying to think what other metal is in the
9 pile.

10 I guess specifically I can't go to
11 the pile and tell you that that piece of metal was
12 there thirty years or twenty years but that
13 something was there in '56 and that the metal is
14 extremely rusted and generally in a strongly
15 deteriorated state.

16 Q. What something was there in '56? What
17 specific object are you willing to testify under
18 oath was there?

19 A. I can't, not being there in 1956, I cannot
20 tell you precisely which object was there.

21 Q. Well, what specific object are you willing
22 to say in your opinion was there?

23 A. I would say if we take the pile as a whole
24 and the nature of the pile, in all probability

1 I can't think of anything else.

2 Q. Did you in addition to the, what I'll call
3 the crumbling or rotted out barrels, however you
4 want to describe it, barrel or barrels, did you
5 identify any other barrels that had been on the
6 premises for a lesser number of years?

7 A. I think in one instance there were a
8 number of five gallon drums.

9 Q. Five or 55?

10 A. Five.

11 Q. Five.

12 A. That looked like they had been dumped very
13 recently.

14 Q. Like within the last couple of months?

15 A. No, I would say within the last couple of
16 years. They had brambles and overgrowth over them.

17 Q. Is it your testimony you can tell by over-
18 growth that something has been lying in that spot
19 for thirty years just by looking at the overgrowth?

20 A. I don't think overgrowth alone contributes
21 to that sort of conclusion.

22 Q. That area is very near to being described
23 as a swamp, isn't it?

24 A. I would not describe the area as a swamp.

1 Q. Are there swamp parts in it?

2 A. There are swampy parts out towards the
3 river. The area we mapped was the upland portion.

4 Q. Upland meaning going toward --

5 A. The upland portion meaning it was fairly
6 solid ground. It was probably a couple of feet
7 above the -- not a couple of feet. About a foot
8 above the river level and it wasn't wet. We could
9 walk around.

10 Q. What is the relation of the elevation of
11 the property, the part of the property of this
12 debris site that you just described and wells G & H?

13 A. I'll have to ask you to define it a little
14 bit crisper because the physical building is such
15 and such an elevation.

16 Q. From the ground level?

17 A. From the ground level.

18 Q. Higher elevation where you were?

19 A. I would say the pump house for G is
20 slightly higher than the elevation of the Riley
21 property.

22 Q. What is the elevation, do you remember?

23 A. I think -- the elevation of which, sir?

24 Q. The pump house.

1 A. The pump house.

2 Q. Do you remember in feet?

3 A. I don't have a number for that.

4 Q. Is that shown on the topo map?

5 A. The location of G since it was a 1962 topo
6 sheet I don't think is on there.

7 Q. How about the specific site that you just
8 described what I'd call the Woodward Clyde 6 site?

9 A. That specific site does not show on the
10 topo sheet.

11 Q. What's that elevation?

12 A. But the elevation would be, I think,
13 approximately 43-42 feet above sea level more or
14 less.

15 Q. Are the wells south of this site?

16 A. Which wells, sir?

17 Q. G and H.

18 A. Okay. There are a lot of wells.

19 Q. No, I understand. I don't quarrel with
20 you with your question. Wells G and H.

21 A. G and H are approximately -- G is
22 approximately six hundred feet to the east. H is
23 approximately -- probably 800 feet to the northeast.

24 Q. So this site is south of H and on kind of

1 a straight easterly line with G?

2 A. That would be correct.

3 Q. And there is a river in between, what they
4 call a river. I wouldn't call it a river.

5 A. It is a stream.

6 Q. Well, they call it a river. I'd call it a
7 stream myself but somebody has called it a river.

8 MR. SCHLICHTMANN: Sounds like a song.
9 Some may call it a river.

10 MR. FACHER: We're all up the river,
11 up the creek. One of us is going to be up the
12 creek.

13 (Laughter).

14 Q. You said that there was, the correlation
15 of the debris pile in '85 to a sequence of aerial
16 photos helped you to reach your conclusion?

17 A. Yes, sir.

18 Q. And the sequence of aerial photos is the '56
19 photo which you saw last?

20 A. That's correct, sir.

21 Q. That's last photo you saw. You never saw
22 the '66?

23 A. No.

24 Q. You just read about it?

1 A. Read about it in Woodward & Clyde report.

2 Q. The '69 photo which you saw after you
3 started mapping the premises?

4 A. Which I examined.

5 Q. Is that the entire sequence?

6 A. Only other sequence I think I saw a '74
7 photograph and the most recent one that's available
8 for the area now.

9 Q. Well, the '74, did you see that in
10 connection with forming any opinion or was that
11 just recent?

12 A. The '74 one was just identical to the '69
13 one. Just so the record will show that I saw that
14 one.

15 Q. You saw them at the same time?

16 A. '74 I think I saw a couple of weeks ago
17 also.

18 Q. You had formed your opinion by that time,
19 had you not?

20 A. Yes.

21 Q. Well, now, I just wanted to make sure.
22 Did you come to a conclusion that specific
23 materials had been on the site for twenty or thirty
24 years or is it just your opinion that the site has

1 been used as a disposal area for a number of years?

2 A. First the conclusion the site has been
3 used as a disposal area for a number of years,
4 that's true. For specific areas based on what I
5 told you earlier, there appears on the photograph
6 something north of well 6. If the further analysis
7 of that photograph indicates to me that it is
8 similar to what we have seen, then I would say that
9 that location has probably been used from '56 onward.

10 Q. How do you describe that location?

11 A. That was the one we were describing.

12 Q. That's the one we're talking about?

13 A. Yes, sir that's the one we're talking
14 about.

15 Q. That's the same one we're talking about?

16 A. Yes.

17 Q. So you're looking for further
18 substantiation?

19 A. I think as I told your colleague earlier,
20 I would like to have that photograph blown up so I
21 can see that crisper.

22 Q. The '56 one?

23 A. The '56 one.

24 Q. All right. Other than that is there any

1 one system, the lab uses a gas chromatograph and
2 certain organic compounds come out at certain times
3 and makes certain peaks. Well, the lab had a
4 problem with one of the chemicals, it was two
5 chemicals we were eluting at the same time, and
6 they couldn't tell in their instrumentation what
7 the precise chemical was, and so it was a problem
8 to them, so I suggested to them that they send it
9 to another lab and have a mass spec analysis done
10 on the water sample to see precisely what that
11 chemical was. They did that and it confirmed what
12 they originally thought it was, but it is a
13 different type instrument.

14 Q. Did you come to any conclusions, sir, as
15 to when the construction debris that you described
16 earlier in your testimony had been deposited on the
17 premises, on the property?

18 A. That was sort of continual. I think most
19 of it based on what I had seen I would assume was
20 associated with the large underground storage tanks
21 that were there. There was big twelve by twelve
22 type of cribbing type of thing, and it looked like
23 to me that that's what they cribbed up the underground
24 storage tanks.

1 Q. I'm not sure I understand. Is this
2 something the tanks were resting on you mean like a
3 pallet or something?

4 A. It seemed that way, yes.

5 Q. What I understand construction debris to
6 mean actual construction materials, unused
7 construction materials, parts of houses or concrete.

8 A. Wood, chips of concrete, blocks. Not
9 industrial materials I guess is the way to phrase
10 it.

11 Q. I thought I saw out there some roofs or
12 what looked like parts of roofs. Do you have a
13 memory of that?

14 A. Let's see.

15 Q. Maybe some asphalt shingles that looked
16 like it was attached to a roof?

17 A. There could be if you were there recently.

18 Q. I'm just going from my memory. You don't
19 have a memory of that?

20 A. I can't confirm or deny it.

21 Q. What, did you put any date on, I'm not
22 asking you to do it now, I'm asking you had you
23 previously done it, did you put any date on what
24 you described as construction debris that had been

1 there in your opinion twenty years?

2 A. The wood materials are a little bit harder
3 to answer because wood deteriorates quicker than
4 metal. It is probable some of it could have been
5 there twenty years. What specific pieces, I don't
6 recall being impressed with the deterioration of
7 the wood as I did with the metal. The metal is
8 what sticks in my mind.

9 Q. What conclusions if any did you draw from
10 what appears to have been earth moving activities
11 on this site?

12 A. The conclusion I drew from the earth
13 moving activity is there were some attempts made to
14 scrape up --

15 Q. Bulldoze?

16 A. Yes, it looked more like a bucket loader
17 where someone picked things up. Some of the drums
18 in those piles had been crushed, big boulders in
19 there, looked like someone had gone and mixed up
20 some material.

21 Q. Did you think materials had been withdrawn
22 from the site and taken someplace else by a front
23 end loader putting it on a truck, something of that
24 sort?

1 A. Clearly the large underground storage
2 tanks had been removed and --

3 Q. How do you do that?

4 A. You bring in a crane.

5 Q. You have to bring a crane?

6 A. Bring a crane and put them on a flatbed
7 truck.

8 Q. Drive them off?

9 A. And drive them off. If there is any other
10 materials removed, I don't have an answer for it.

11 Q. All right. Is there any other metallic
12 object other than the barrel that you described
13 that you would be ready to give a scientific
14 opinion on that it was there in 1955 or 1965 as you
15 sit her today and before I let you go home?

16 A. Let's see.

17 I feel strongly about the debris
18 pile, at least for -- that that debris pile was
19 there in 1966 based on interpretation of the
20 photograph. As I stated earlier, '56 is clearly
21 fuzzy.

22 Q. All right. That's the best you can do at
23 4:54 today?

24 A. Let me search back.

1 I think with your caveat, that's
2 probably correct.

3 MR. FACHER: Sometimes memories are
4 refreshed overnight. Sometimes they're not. Why
5 don't we suspend for the day.

6 MR. SCHLICHTMANN: Marvelous.

7 (Deposition recessed at 4:55 PM).
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24

Excerpt from Rule 30 (e):

Submission to Witness; Changes; Signing. When the testimony is fully transcribed the deposition shall be submitted to the witness for examination and shall be read to or by him, unless such examination and reading are waived by the witness and by the parties. Any changes in form or substance which the witness desires to make shall be entered upon the deposition by the officer with a statement of the reasons given by the witness for making them.

I, JOHN DROBINSKI, have read the foregoing transcript of my testimony and it is true and correct to the best of my knowledge, information and belief.

Deponent's Signature

That on _____, 1985, the foregoing deposition was submitted to JOHN DROBINSKI, the witness, for examination and was read by the witness, at which time any changes desired were entered upon the deposition, and that thereafter the deposition was signed by the witness before me.

Notary Public in and for the
Commonwealth of Massachusetts.

My Commission expires


1 COMMONWEALTH OF MASSACHUSETTS)
2) ss.
3 COUNTY OF SUFFOLK)
4

5 I, Nancy L. Eaton, a Notary Public
6 within and for the Commonwealth of Massachusetts,
7 duly commissioned, qualified and authorized to
8 administer oaths and to take and certify
9 depositions, do hereby certify that heretofore,
10 on the date cited above, the witness personally
11 appeared before me at the above location and
12 testified in the above captioned case; that the
13 said witness was by me duly sworn to testify to the
14 truth, the whole truth and nothing but the truth,
15 that thereupon and while said witness was under
16 oath, the deposition was taken down by me
17 in machine shorthand at the time and place therein
18 named and was reduced to typewriting thereafter.

19 I further certify that the said
20 deposition constitutes a true record of the
21 testimony given by the said witness.

22 I further certify that I am not
23 interested in the event of this action.

24 IN WITNESS WHEREOF, I have hereunto
subscribed my hand and affixed my seal of office
this 29th day of December, 1985.



Notary Public in and for the
Commonwealth of Massachusetts.

My Commission expires
January 6, 1989.