

UNITED STATES DISTRICT COURT

DISTRICT OF MASSACHUSETTS

Civil Action
No. 82-1672-S

SKINNER, D. J.
and a Jury

ANNE ANDERSON, ET AL

V.

W. R. GRACE & CO., ET AL

Seventieth Day of Trial

APPEARANCES:

Schlichtmann, Conway & Crowley (by Jan Richard Schlichtmann, Esq., Kevin P. Conway, Esq., and William J. Crowley, III, Esq.) on behalf of the Plaintiffs.

Charles R. Nesson, Esquire, on behalf of the Plaintiffs.

Herlihy & O'Brien (by Thomas M. Kiley, Esq.) on behalf of the Plaintiffs.

Hale & Dorr (by Jerome P. Facher, Esq., Neil Jacobs, Esq., Donald R. Frederico, Esq., and Deborah P. Fawcett, Esq.) on behalf of Beatrice Foods.

Foley, Hoag & Eliot (by Michael B. Keating, Esq., Sandra Lynch, Esq., William Cheeseman, Esq., and Marc K. Temin, Esq.) on behalf of W. R. Grace & Co.

Courtroom No. 6
Federal Building
Boston, MA 02109
9:00 a.m., Friday
June 27, 1986

Marie L. Cloonan
Court Reporter
1690 U.S.P.O. & Courthouse
Boston, MA 02109

A
MC/jm

1 THE COURT: Good morning, ladies and
2 gentlemen, your complaint has been reported to me, and I will
3 get in touch with the supervisor of this floor of the cleaning
4 staff.

5 At the close of court we were working
6 with a formula which somehow or other --

7 MR. SCHLICHTMANN: Excuse me?

8 THE COURT: -- somehow or other didn't
9 come out right.

10 MR. SCHLICHTMANN: Right.

11 THE COURT: I take it you are going to
12 pursue this?

13 MR. SCHLICHTMANN: Yes.

14 THE COURT: The last time there was a
15 wall of water ten feet high sweeping down the Aberjona Valley.

16 MR. SCHLICHTMANN: Yes, that is true,
17 your Honor.

18 THE COURT: Either the formula was incorrect
19 or the equation was improperly worked out or one of the
20 figures is wrong.

21 MR. SCHLICHTMANN: Yes.

22 THE COURT: We have to find out which of
23 those figures occurred.

24 MR. SCHLICHTMANN: All right.

25 THE COURT: One or more of the figures.

1 MR. SCHLICHTMANN: Exactly.

2
3 JOHN GUSWA, Resumed

4 Continuation of Cross-Examination by Mr. Schlichtmann

5 Q Dr. Guswa --

6 THE COURT: Unless there was, in fact,
7 a wall of water ten feet high.

8 MR. SCHLICHTMANN: We will probably get a
9 stipulation on that.

10 Q Just for the record, Doctor, put here in parentheses,
11 this is the outflow formula.

12 A (Witness complied.)

13 Q Doctor Guswa, do you have a calculator today?

14 A Yes, I do.

15 Q I have, too, if you run out of batteries.

16 A It's not that I don't trust you, but they
17 are sometimes complicated to figure out. I am familiar
18 with mine.

19 Q Right.

20 Now, Dr. Guswa, this side of the equation,
21 the outflow equation, should equal this side of the equation
22 (indicating)?

23 A That's correct.

24 Q Now, we have put values in for each of the factors
25 that go into the equation. Could you, for the jury, just do

1 the calculation for this side of the equation?

2 A Okay.

3 Q Maybe we could draw a line here and can do today's
4 calculations.

5 A Should we put the date on?

6 Q Why not, that is a good idea.

7 A (Witness writing on the chalk.)

8 Q (Indicating)

9 A Oops, that must have been the day you came.

10 Three hundred thirty-three cubic feet per
11 day.

12 Q All right.

13 In other words, when you do the calculation
14 on this side of the equation, you come to a value of 333
15 cubic feet per day is not equal to 990 cubic feet per day?

16 A That's correct.

17 Q There is a problem with one of our values in the
18 equation?

19 A Or the underlying assumption.

20 Q Let's stay with the equation, then we will do the
21 underlying assumptions.

22 A Sure.

23 Q Each one of these factors in the equation has to do
24 with a particular physical parameter in the field?

25 A That's correct.

1 Q Now, the 20 is the depth of the saturated zone on
2 the southwestern side of the Grace plant?

3 A At G-3.

4 Q Yes.

5 And I asked you if that was the average
6 saturated -- average depth of the saturated zone on the
7 south and westerly side?

8 A I think that is a fair representation.

9 Q All right.

10 So when we go into the field and we measure
11 the water level, we know it's 20, so we can't change that
12 value, so that value we can be assured of we are correct
13 because we checked it in the field?

14 A That's correct.

End A
MC/jm

15

16

17

18

19

20

21

22

23

24

25

1 Q Now, when we look at the next value, which is 600 feet,
2 that is the width of the opening?

3 A Yes.

4 Q And there is not too much we can do about that. That
5 is the width of the Grace property where the water is
6 flowing through?

7 A Correct.

8 Q We can't change that value?

9 A Not significantly, no.

10 Q All right. Now, the gradient, that is also dependent
11 upon the differences in the water table contours on the
12 Grace site?

13 A Yes.

14 Q And Maslansky had figured it out, and you generally
15 agree that is about right, the gradient is somewhere around
16 there?

17 A Some parts of the property, that is correct. That
18 number -- I am not sure Steve testified that is the average
19 gradient. That was in his early report, March of '84. I
20 am not sure he testified that is the actual gradient. I am
21 sure we will go through the calculations, and I would like
22 to have the opportunity to show what the gradient on the
23 property is.

24 Q In his June, 1984 report, Mr. Maslansky did state,
25 just so we are clear, it says that groundwater gradients

1 to the south side were measured to, varied from .02 to .056?

2 A Correct.

3 Q Typical gradient along the flow lines to the Well
4 Cluster 3.037?

5 A Okay. Now, the trench excavation area there, I believe
6 in the pit area in the back of the property?

7 Q Yes.

8 A There are the wells installed as part of that report.
9 We now have 31 wells on site. I think we will see that is not
10 a fair representation today.

11 Q Well, do you have an opinion as to what is the
12 appropriate gradient value to put in to this? Yesterday
13 you accepted .037. Do you have another value to put in
14 there that you think is better than---

15 A .04 to .1.

16 Q You can put .04 or .01 in there?

17 A No. .1.

18 Q .1?

19 A Yes.

20 Q Or .04?

21 Q Correct.

22 Q That is quite a variation.

23 Q That is correct.

24 Q So, yesterday you accepted this as average gradient.
25 Do you want to change it today and have another average

1 gradient for the opening the water is going through?

2 A Let's make it .07. I don't think .07 is the average
3 gradient. I think this is the highlighting one of the
4 problems with one-dimensionals. We look at site maps, we
5 have steep gradients and thicker than 20 feet. At G-3 it
6 is thinner. But what we are assuming here is a cross section
7 goes off flow. We are also assuming there is no water going
8 in the bedrock.

9 Q All right.

10 Q If we look at wells on the south side, we have downward
11 flow. There is water going to the bedrock. A gallon a day,
12 a gallon a minute. It would knock the 900 to about 600 cubic
13 feet a day.

14 Q You have a question mark over the gradient?

15 A Yes.

16 Q Why don't we put a question mark here?

17 A Uh-huh.

18 Q But you have no doubt about this figure, the 620?

19 A Not as representative average values.

20 Q All right. Now, the other two values are the amount
21 that, of water which you calculate going in the groundwater
22 at the Grace site?

23 A That is the -- That is correct. Variable recharge.

24 Q Do you happen to have the calculation just so -- Here
25 it is.

1 The way you calculated that was pretty
2 straightforward. You said that the westerly side of the
3 Grace property, which is going towards Wells G and H, is
4 600 by 600 feet?

5 A Yes.

6 Q Which means 360,000 feet?

7 A Yes.

8 Q You said out of 44 inches a year of rainfall, you came
9 to the opinion that the 12 inches goes in the groundwater?

10 A Yes.

11 Q Now, 12 inches a year is one foot a year?

12 A Yes.

13 Q And if you have 360 thousand the rain is falling on
14 and it is one foot deep, that is a cubic, you can make that
15 -- take the 360,000 square feet and make it a cubic foot?

16 A Yes.

17 Q You are putting rain on top of it.

18 Now then, to find out what that is on a
19 daily basis, you took 360,000 cubic feet per year and you
20 translated it into gallons, is that right, it came to
21 2,700,000 gallons a year?

22 A Yes.

23 Q Divide that gallonage by 365 days and came to 7,400 gallons
24 a day?

25 A Yes.

1 Q So this value you calculated, 7,400 gallons, 12 inches
2 a year flowing out, you have no question about this figure?

3 A No.

4 Q So now, we have hydraulic gradient and we have the
5 hydraulic conductivity?

6 A Yes.

7 Q Now, if, in fact, the gradient is correct, that is a
8 correct gradient, then the only value that is going to have
9 to be changed in this equation to make this equal this is your
10 hydraulic conductivity?

11 A Yes.

12 Q Correct?

13 A Correct.

14 Q And what would be the hydraulic conductivity that would
15 make the equation balance? Can you figure that out?

16 A I have everything else stays the same, the hydraulic
17 conductivity would be 2.25 feet per day.

18 Q That would be three times?

19 A Three times 333 is about one third of 990, make this
20 balance keeping all these others fixed, multiply that by three
21 to .25.

22 Q Now, if in fact more water out of that 44 inches a
23 year goes into the groundwater then, and all the other values
24 are correct, you will have to increase the hydraulic conductivity
25 even more, aren't you?

1 A If everything else stays the same, yes.

2 Q And you made an estimate that 12 inches out of the 44
3 inches goes into the groundwater?

4 A Yes.

5 Q But you are aware of the fact that the others who
6 investigated the study area have come to the opinion that
7 14 inches, or most of 24 inches, is a, of the 44 inches, 20
8 inches is runoff?

9 A Correct.

10 Q Of the 24 inches, most of that goes into the groundwater.
11 You are aware others have come to that conclusion?

12 A I know the statement in the report.

13 Q That is in the FIT report of the EPA?

14 A Yes.

15 Q If in fact 24 inches falls on the site, goes into
16 groundwater, then this hydraulic conductivity is going to
17 be doubled?

18 A Correct.

19 Q And if in fact you are wrong and water enters the site
20 from the north, just so we are clear here---

21 If in fact water is coming down from the
22 north onto the Grace site, all right, and---

23 A Just a minute. I assumed water was coming down when I
24 drew the 600 by 600 square. The 600 -- I took the divide
25 back here and did say water is coming in from off the site

1 based upon the recharge.

2 Q If in fact more water is coming in from the north---

3 A More than that.

4 Q ---than your area, then you figured that hydraulic
5 conductivity had got to go greater than that; am I correct?

6 A If everything else stays the same, correct.

7 Q Now, our equation is not in balance. You agree with
8 that?

9 A I am sorry?

10 Q Our equation---

11 A The two numbers don't agree.

12 Q You agree with that?

13 A Yes.

14 Q You agree that something is wrong with one of these
15 values?

16 A Or the assumptions.

17 Q Or the assumptions?

18 A Yes.

19 Q When you say "assumptions," you mean the assumption the
20 values or the assumption behind the equation?

21 A Behind the gradients or the value itself, and the
22 assumption no water is going in the bedrock.

23 Q Well, if water is going in the bedrock, you will agree
24 that water can move very, very fast in the bedrock through
25 cracks in the bedrock?

A If it is in the cracks, yes.

C
MC/jm

1 Q So if, in fact, water is moving into the bedrock,
2 if more water -- if the saturated zone includes the
3 bedrock and water is actually moving in the bedrock, then the
4 K values, the ability of water to move through that
5 bedrock could be very, very high; couldn't they?

6 A The K values will be low because the bedrock itself
7 is a low conductivity. A long individual fracture, the
8 movement may be fast. The conductivity of the bedrock
9 is not high.

10 Q No. The bedrock is solid rock?

11 A Right.

12 Q So if you looked at the rock it has no hydraulic
13 conductivity, nothing is getting through?

14 A Yes.

15 Q But a crack through the bedrock, that can make the
16 water go very, very fast?

17 A That is correct.

18 Q The K value through that crack can be extremely
19 high?

20 A The crack itself?

21 Q Yes.

22 A Yes.

23 Q As a matter of fact, between fine gravel, which
24 has the highest hydraulic conductivity, and a crack in the
25 bedrock, a crack in the bedrock can be even higher than it

2

1 can be for the highest conductivity of unconsolidated
2 soil, am I right, like fine gravel?

3 A If you look just inside the crack where there is
4 nothing else but the crack, it would be very high
5 conductivity.

6 Q It would be like free flowing water?

7 A Right.

8 Q So we've come down to the fact, then, there is either
9 this value is wrong or the gradient is wrong?

10 A Or the assumption is wrong, the underlying assumptions.

11 Q Which one?

12 A There is no water going into the bedrock.

13 Q All right.

14 Have you calculated how much water
15 is going into the bedrock?

16 A No.

17 Q So you don't have an opinion as to how much water is
18 going into the bedrock?

19 A No. I have an opinion that water is going into the
20 bedrock but not how much.

21 Q Do you have an opinion as to how fast that water is
22 moving through the cracks in the bedrock?

23 A I don't know which direction the cracks are going
24 in the bedrock, and I would not have an opinion as to how
25 fast it is moving at any individual crack. It is still a

3

1 small volume of water, that is the whole thing we were
2 talking about yesterday. Most of the water is in the
3 unconsolidated material. Some gets into the bedrock.

4 Q All right.

5 Now, you can't assign a value, then,
6 for the water in the bedrock?

7 A No. Value for volume or value for conductivity?

8 Q For the depth? Well, for the hydraulic conductivity.
9 If water is going into the bedrock, it will change your
10 hydraulic conductivity, won't it? Because if, in fact,
11 water is going into the bedrock, you have to make a
12 determination as to what the hydraulic conductivity is
13 in those cracks to figure out how fast that water is
14 moving?

15 A In our water analysis, which includes the bedrock
16 in which we did the calibration, we have a representative
17 conductivity for the bedrock.

18 Q You have one for the bedrock?

19 THE COURT: This formula, your height
20 measurement is from the top of the bedrock, so if you are
21 going to get the bedrock involved, you have to change
22 that measurement, too.

23 THE WITNESS: That is what I'm saying,
24 if we say there is no water going into the bedrock, then
25 these height measurements are not correct.

4

1 Q Well, yesterday you agreed with me that the area
2 that the groundwater is moving through is the saturated
3 zone for the most part, you agreed with that?

4 A For the most part.

5 Q Well, we have to do another equation, wouldn't we,
6 since we have one hydraulic conductivity for this area,
7 we'd have to do another equation with a hydraulic
8 conductivity for the bedrock?

9 A Yes.

10 Q And we have to figure out how much of that bedrock,
11 how much of that opening is in the bedrock, is that right?

12 A Yes.

13 Q To be able to figure -- To take into account this other
14 water that you don't know, you know, may be going into
15 the bedrock?

16 A Yes.

17 Q Now, have you done that?

18 A The exact amount going into the bedrock?

19 Q Yes.

20 A No.

21 Q Did your model do that?

22 A Internally it probably has. I haven't extracted that.

23 Q You don't know what the figure is?

24 A In terms of volume, no. In terms of rate, no.

25 Q You don't know how much of this flow from the Grace site

5

1 is going through cracks in the bedrock?

2 A No.

3 Q Now, when you gave us this value, this amount of water
4 is coming from the Grace site, you were making a calculation
5 of the amount of water whether it went through the
6 unconsolidated zone or whether it went through the bedrock
7 was actually leaving the Grace site and going over to
8 Wells G and H, right?

9 A No, no. I was making a comparison of the amount of
10 water that falls on the property and gets into the ground.

11 As a point of reference, it gets into
12 the groundwater system. As a point of comparison saying
13 how does this number compare to what pumps from G and H,
14 not making any statement at all whether that water ever
15 gets to G and H.

16 Q Wasn't that -- I just had it here. Here it is right
17 here. All right.

18 If all the groundwater gets to G and H,
19 this is the percent of contribution (indicating)?

20 A Yes.

21 Q You are now telling the jury, 7,400 gallons doesn't
22 leave the Grace site and goes to Wells G and H, it is
23 even a lesser figure? It is not even one half of one
24 percent?

25 A It says if all Cryovac groundwater got to G and H,

6

1 one half of one percent. What gets into the bedrock,
2 the groundwater flow in the bedrock are not well defined.

3 Q But regardless of whether it gets to G and H or not,
4 it still has to get through that opening, doesn't it?

5 A The opening, meaning whatever zone it is flowing
6 through, yes.

7 Q Yes.

8 It is going through the unconsolidated
9 zone, and you say it is also flowing in the bedrock zone?

10 A Yes.

11 Q It has to move through that opening in the bedrock?

12 A Yes.

13 Q Well, what is the hydraulic conductivity of those
14 cracks in the bedrock it has to move through, is it
15 .75, the same as ground moraine, greater than ground moraine?

16 A It is less than ground moraine.

17 Q Less than ground moraine?

18 A Yes.

19 Q It moves slower through the cracks?

20 A Mr. Schlichtmann, the individual crack itself

21 will have high hydraulic conductivity. The bulk

22 conductivity for bedrock is slower. The vertical is

23 likely to be larger than the horizontal because of the way
24 the fractures are oriented.

25 Q So this hydraulic conductivity, then, for this opening

1 that the water is flowing through on the Grace site,
2 the 7,400 gallons that has to be even lower, this
3 hydraulic conductivity has to be lower?

4 A I'm sorry, I misunderstood the question.

5 Q Let's be very, very clear.

6 A Yes.

7 Q There is no doubt in your mind that you have told
8 the jury -- correct me if I'm wrong -- 7,400 gallons of
9 groundwater leaves the Grace site and it goes through
10 this opening, it goes through an opening in the property,
11 right?

12 A It goes into the ground and is part of the groundwater
13 system.

14 Q And flows off the Grace site at least right there
15 at that point?

16 A Yes, sir.

17 Q Whether it goes to G and H or up north to National
18 Polychemical --

19 A It is not going to go up north.

20 Q Well, wherever it goes it has to go past the opening?

21 A Yes.

22 Q Now, the opening has a certain width?

23 A Yes.

24 Q And the opening has a certain height?

25 A Yes.

1 Q Now, we could extend it down into the bedrock a foot?

2 A Yes.

3 Q But it still has to get through material, it either
4 has to get through the ground moraine, which you said
5 has a hydraulic conductivity of .75, at least part of it
6 has to get through this one foot of bedrock and that has
7 to have a hydraulic conductivity. Now, that hydraulic
8 conductivity is either greater -- equal to this, it has
9 to be greater than this, or it has to be less than this?

10 A Yes.

11 Q And correct me if I'm wrong. You have just stated
12 at least that one foot is less, the hydraulic conductivity
13 is less than the ground moraine, am I right about that?

14 A Yes.

15 Q That would then tend, if we are just looking at the
16 height of the water table, that would make the water table
17 above the Grace site --

18 A No.

19 Q If the hydraulic conductivity is even lower?

20 A What you said now is instead of having unconsolidated
21 material as the flow material, you said the unconsolidated
22 material plus one foot of bedrock?

23 Q Right.

24 A It is more than one foot of bedrock.

25 Q How many feet?

1 A There are wells that go 300 feet into bedrock that
2 pump water out of the bedrock.

3 Q All right.

4 Well, do you think, then, the saturated
5 zone of water is actually 300 feet into that bedrock?

6 A The bedrock is saturated to a depth of 300 feet.

7 Q All right.

8 A Saturated thicker than that. I think we are not
9 on the right sync on the way to approach this problem.
10 I will continue to listen to your questions and then
11 hopefully get a chance to explain my position.

12 Q All right. Well, I'm trying to give you that opportunity,
13 but why don't you just tell the jury, explain your position
14 to the jury. Would you like to do it on a board?

15 A I would like a board and also the water table maps,
16 the pre-pumping and the post-pumping, please.

17 MR. KEATING: Pre-pumping and post-pumping?

18 THE WITNESS: Yes, please.

19 End C
MC/jm
20
21
22
23
24
25

1 A The first thing I am going to do is draw some---

2 MR. KEATING: Do you want Dr. Guswa to say
3 what he is doing?

4 MR. SCHLICHTMANN: Sure.

5 THE WITNESS: On the pre-pumping map, I am
6 drawing two lines from which I will calculate hydraulic
7 gradient on the Cryovac Plant. And I will label one pre-1
8 and the other pre-2, Line 1, Line 2.

9 For line pre-1, the difference is 15 feet in
10 water elevation and the length of that line, the distance
11 between those two points is one inch, which is 200 feet.
12 So we have a 15-foot water level distance difference in
13 the 100-foot spacing and that is a gradient of .075.

14 The second line, we actually have two wells
15 that form the end of Line G-8, with elevation of 95.43. I
16 will put that number up here.

17 And G-3, elevation 71.25. And the difference
18 is 24.18 feet. And the distance between the two wells is
19 about 590; we will call it 600 feet.

20 24.18 feet is the water level distance. Six
21 hundred feet is the distance between those two points, and
22 the gradient there is .04 with a few small numbers at the
23 end.

24 On the post-pumping map, we take the same
25 line near G-1 and I will call that post-1 and I will connect

2
1 G-8 and G-3 again and call that post-2.

2 Post-1, we have a 15-foot water level
3 difference, 90 feet minus 75 feet. And the distance between
4 those two is 180 feet.

5 Post-two, we will do the same calculation;
6 48.24 is the water level for G-8. 71.6 is the water level
7 for G-3. 23.64 is the water level difference, and there
8 they are the same distance apart as the last time. So we
9 will call it 23.64 divided by 600.

10 The post-1 gradient is .0833. Post-2 gradient
11 is .039.

12 Now, this is sort of what we mean by
13 sensitivity analysis, when we look at sensitivity results
14 to the assumptions we made. So we look at Q pre-1, Q pre-2,
15 Q post-1 and Q post-2.

16 We will assume the same hydraulic conductivity
17 for all four and we will assume 600-foot length. And then
18 for the pre-1, it turns out the bedrock is a little deeper
19 here, about 25 feet, not that it is significantly different
20 from Mr. Schlichtmann's number, but I want to show the range
21 of numbers one can come up with. We use 18 feet for G-3,
22 for this little S. And for the depth to bedrock below the
23 water table is actually about 80 feet deep as Mr. Schlichtmann,
24 I mean the thickness of the saturated zone.

(
25 Now, just put in the gradient. In this case

1 we use .075. In this case we used .04. In this case we
2 used .0833. And in this case .039.

3 This equals 844 cubic feet per day, the
4 first one. The second one is 324 cubic feet per day. The
5 third one is 937 cubic feet per day. And then the fourth one
6 is 316 cubic feet per day.

7 Just doing this calculation, depending upon
8 which number we chose, if we chose the steepest gradient
9 and thickness, we get 844 to 940, if it all goes through the
10 unconsolidated material. If we go down toward G-3 and use
11 the gradient across the site there and the thickness of the
12 zone there, we get numbers in the 300 range.

13 Now, we were looking at a cross section that
14 was 600 feet long and 20 feet high. We were assuming all
15 the water was coming out of that cross section. Now, we
16 have wells on that cross section, G-3, G-11, G-12. Those
17 all indicate water is going down into the bedrock.

18 Now, if we look at what is happening to that
19 water, if we have a surface area on the plant, the plant
20 and up to the divide, that is a 600-by-600-square-foot area.
21 So we have water coming horizontally out of the plant from
22 the rain and water going vertically down, not possible to
23 quantify it. We have wells here. We could get a gradient.
24 We don't know what the gradients are here, although they do
25 vary across the area. We are talking about cross sectional

1 area for downward flow from the precipitation, that is 30
2 times greater than the cross sectional area for the flow.
3 So if we want equal amounts of water, if we were to assume
4 equal amounts of water were going through each of these two
5 sections. This conductivity would be about $1/30$ of this
6 conductivity. So I think this highlights to me, these
7 are useful calculations to do some basic approximations. That
8 is not how I do hydraulic conductivity. We ignored fundamental
9 information. Water is moving down through the land surface
10 into the bedrock through the unconsolidated material. That
11 volume only had to be, would only have to be one. Actually,
12 if we took the average of all these, let's just say that it
13 comes out to about 600 cubic feet per day. Let me check
14 that.

1 Yes, 605 cubic feet per day. If you take the
2 average of that and if 600 cubic feet per day is coming
3 across the property, then all of these elevations, these
4 thicknesses, would be in balance between the elevation of the
5 bedrock and the elevation of the water table. So to get
6 our equation in balance, we have to figure out where is
7 that other 390 cubic feet per day going? The 390 -- let's
8 see, 390 cubic feet per day versus 990 cubic feet per day
9 is .39 or 39 percent. Now, that number was also five gallons
10 a minute, I believe. 7,400 cubic feet per day is the
11 same as five gallons per minute.

12 I'm going to mark that A, because that
13 is where we went first, and this is B, that is where we
14 went second, and this is sort of C, where we went third,
15 and now D, where we are now, 7,400 cubic feet per day is
16 equal to five gallons per minute. And if I multiply that
17 by .39, five gallons per minute times .39 equals 1.96
18 gallons per minute, and that's equal to -- Now, how am I
19 going to do this here? What I want to see is how much
20 across this 600 by 600 square foot area would that be.
21 In other words, if 1.96 gallons per minute is going down
22 into the ground vertically through the bedrock, how
23 thick, how much water is going in uniformly through that,
24 if it were going through uniformly, which it's not, but
25 that is the hazard of the sample assumption.

1 So we have 1.96 gallons per minute.
2 7.48 divided -- that is .26 cubic feet per minute, and
3 that's -- if you multiple that by 1,440 minutes in a day,
4 that is 379 cubic feet per day. I guess we can get that
5 from here. 379 cubic feet per day going down into the
6 bedrock.

7 Now, if the bedrock is 600 feet by 600 feet,
8 that means we've got a height of water -- so let's see --
9 I will divide that by 600 times 600, and I'm going to get a
10 height of water of .001 feet. I multiple that by 12,
11 so we have .01 inches, a column of water, lake of water,
12 if you will, .01 inches high on top of the bedrock getting
13 into the ground. I think that is a reasonable number to
14 expect to get into the bedrock. That would make the whole
15 equation balance at the 990.

16 Q Now, if, in fact, water reaches the bedrock, it
17 actually is going to get into the bedrock, right?

18 A Yes.

19 Q If, in fact, the cracks in that bedrock are going in
20 the direction of the groundwater flow as shown on your
21 arrow --

22 A Yes.

23 Q -- will you agree with me that the speed of that water
24 in that bedrock, those bedrock cracks, if they follow your
25 groundwater flow means that water that moves through those

3

1 cracks can move a lot faster than the water that is
2 trying to get through the ground moraine, what you have
3 called the ground moraine and the unconsolidated layer,
4 am I right about that?

5 A The water that moves through the rocks, in this case
6 that comes from the Cryovac plant, was 1.96 gallons per
7 minute. Now, that will move into the rock, and it will move
8 faster through the cracks than it will through the other
9 part of the rock, as long as the cracks are open.

10 Q Yes.

11 A Depending upon which way the cracks are oriented.
12 We have no way of knowing which way the water is going
13 in that rock.

14 Q But you have calculated that the groundwater flow is
15 in the direction that is indicated in your exhibit?

16 A That is for the unconsolidated material.

17 Q I understand.

18 Have you made any calculations or any
19 determinations as to where the groundwater flows in that
20 bedrock, that lake underneath the unconsolidated material?

21 A The concept that underlying it, the water table map
22 is based on an assumption of equivalent porous media,
23 porous material. The actual movement of water in a fractured
24 rock is not controlled by the right angle rule that we
25 apply to the water table map contours. Because the flow

4

1 direction is actually constrained by the actual orientation
2 and position of the cracks. The concept of water table maps
3 and the concept of right angles at water table maps is not
4 appropriate and not valid for bedrock.

5 Q But you will agree with me here, Dr. Guswa, that if
6 you have bedrock which is higher here and lower here --

7 A Yes.

8 Q -- and the whole bedrock is fractured, it has cracks
9 from high to the low --

10 A Yes.

11 Q -- that the water is going to move through those
12 cracks from a high elevation to a low elevation, am I
13 right about that?

14 A The water will have a driving force to go that way,
15 but I have spent the last six years working in fractured
16 rocks in Upstate New York, and I will tell you it does not
17 flow directly from the high to the low because if those
18 fractures or cracks are not aligned directly to that but,
19 in fact, are like this or at an angle, that water will have
20 a tendency to move that way, but it hits the wall and goes
21 parallel to the fracture (indicating). That is the direction
22 it goes. It doesn't go at right angles to a contour that
23 we draw.

24 Q You have never made a determination as to how the
25 fractures are going in the bedrock, have you?

5

1 A No, I haven't.

2 Q Everything leads us to believe from what we know
3 from nature if there are cracks in the bedrock, they are
4 going to go in every which way?

5 A No, that is not true at all.

6 Q You have not made such a determination?

7 A No, I haven't.

8 Q You have no basis to tell this jury where those cracks
9 go?

10 A That is correct.

11 MR. SCHLICHTMANN: Why don't we have this
12 marked as P-909.

13 Q Now, Dr. Guswa, yesterday when we went through the
14 formula and we constructed the area that the water goes
15 through, you agreed that that area would be 600 by 20 feet,
16 is that right?

17 A I read through the transcript last night, Mr. Schlichtmann,
18 and I believe I said the flow of the water is in the
19 unconsolidated material and the bedrock, and then I agreed
20 to use your assumption that the flow was only in the
21 unconsolidated material.

22 Q Yes.

23 And the flow in that bedrock is still
24 going to have a hydraulic conductivity if you keep it at
25 .75, it is not going to change any of those calculations

1 that we did yesterday, am I right?

2 A Mr. Schlichtmann, if I -- Yes, it would change the
3 numbers.

4 Q Well, it would keep the same hydraulic conductivity --

5 A Yes.

6 Q -- but increase the opening by a foot to take care of
7 the bedrock?

8 A Mr. Schlichtmann, you may have to increase the opening
9 by 300 feet to take care of the bedrock.

10 Q Well, didn't you just tell the jury how deep you think
11 that water goes into the bedrock?

12 A No. I told them of all the water that falls in the
13 ground, if 39 percent of that water moves down into the
14 bedrock, that's the same as .01 feet -- no, .01 inches of
15 water lying on the bedrock surface and filtering down
16 into bedrock that may filter down for 50 feet, 100 feet,
17 for 1,000 feet.

18 Q And would that then -- would that mean less than
19 7,400 gallons of water leaves the Grace site every day?

20 A No, 7,400 leaves the Grace site. It falls on the
21 Grace site, goes into the unconsolidated material, goes
22 into the bedrock, some goes into the unconsolidated material,
23 some goes down into the bedrock, all leaves the ground site.

24 Q Part of that 7,400 actually went straight down, it
25 didn't leave by going off in a southwesterly direction,

1 is that right, or it did?

2 A No, that is exactly correct. It does leave vertically
3 down into the bedrock. The direction from then on is
4 undetermined.

5 Q At what point when it goes down does it leave the
6 Grace site? Where does the Grace site end vertically?

7 A That seems to me a matter of mineral rights or
8 something else that I'm not familiar with.

9 THE COURT: The point is, the bedrock,
10 I take it, has a saturation point, it will only hold so
11 much water?

12 THE WITNESS: That's correct.

13 THE COURT: So if this water is coming
14 in, whatever amount you say on a daily basis, the same
15 amount is leaving the bedrock?

16 THE WITNESS: That's correct.

17 THE COURT: But you don't know where
18 it's going?

19 THE WITNESS: No, sir.

20 THE COURT: Okay.

21 So we have a flow through the bedrock
22 coming in from the Grace site from the top of the bedrock
23 and going in --

24 THE WITNESS: It is going down.

25 THE COURT: -- going down and eventually out?

1 THE WITNESS: Well, going down and
2 picking up a lateral component in some direction, it is
3 going off the property, but --

4 THE COURT: You don't know what the
5 lateral component is?

6 THE WITNESS: No, that is correct.
7 And don't know how thick of a vertical section it is
8 moving through.

9 Q (By Mr. Schlichtmann) And, Dr. Guswa, have
10 chemicals -- have contaminants at the Grace site been
11 detected in deep bedrock?

12 A Yes.

13 Q At GW3?

14 A Yes.

15 End E
16 MC/jm

17

18

19

20

21

22

23

24

25

26

1 (Ambulance noise.)

2 (Pause.)

3 THE COURT: I am surprised to find any of
4 the city left when I leave the Courtroom.

5 Okay.

6 Q And so we detect -- in the Grace wells we find
7 contamination on the southwesterly side of the Grace site
8 in the unconsolidated as well as in the deep bedrock, part of
9 the bedrock?

10 A That is right.

11 Q And when we sampled wells in a southwesterly direction
12 from the Grace site, going towards Wells G and H, we also
13 detected contaminants in the bedrock?

14 A I am not sure the characteristics of the material are
15 the same. Let me get my summary sheet.

16 You have a particular well you are referring
17 to?

18 Q How about GW-1, deep bedrock?

19 A Yes.

20 Q GW-1?

21 A Yes.

22 Q Contaminants in it?

23 A Yes.

24 Q And in the deep bedrock?

25 A Yes.

1 Q And just so the jury knows what we are talking about,
2 GW-1 is Grace's off-site Well No. 1?

3 A That is right.

4 Q And that was put in by W.R. Grace?

5 A Mr. Maslansky.

6 Q That is located right here near S-21?

7 A It is a little -- No, the north and west of S-21.

8 Q So we are clear, I don't think it is necessary to come
9 up, but if you wish to, on your cross section, that would be
10 underneath the Cummings building, if we interpolate that
11 the Cummings industrial area, and into the bedrock right
12 near S-21?

13 A Yes.

14 Q And G-3 would be where the blue dot is? That is what
15 you did yesterday.

16 A The blue dot with the water above the land surface?

17 Q Yes.

18 A Yes.

19 Q Now, Dr. Guswa, you made some calculations to the jury
20 concerning the travel time of contaminants; do you recall
21 that?

22 A Yes.

23 Q And you are familiar with the formula for making those
24 calculations?

25 A Which one?

1 Q Well, is there a formula for determining how fast a
2 particular contaminant moves through a particular type of
3 media, coarse media? Is there such a mathematical formula?

4 A There is one-dimensional, two-dimensional, and three-
5 dimensional.

6 Q Are you familiar with any of them?

7 A Yes. Some more familiar than others.

8 Q Now, when a, one of the things you know when a -- What
9 is the water velocity, how fast is water moving through the
10 system?

11 A Yes.

12 Q Volatile organics, the ones we are talking about,
13 don't move as fast as water?

14 A Correct.

15 Q The reason they don't move as fast as water is that
16 they have an infinity for particles?

17 A They prefer, have a tendency to absorb to the particles.

18 Q That absorption tendency, that is the stickiness, the
19 stickiness potential? It sticks to the particles it passes
20 through?

21 A Yes.

22 Q It is being borne by water molecules, this chlorinated
23 TCE, and as it passes a solid particle it tends to stick to
24 the particle and leave the water?

25 A Correct.

1 Q Not all of it does?

2 A No.

3 Q Just a certain percentage?

4 A Yes.

5 Q And that is why this retardation factor is really the
6 slowness of the chemical in relationship to the water?

7 A That is correct.

8 Q Now, you have a retardation factor of 3.8 TCE?

9 A Yes.

10 Q And---

11 A Excuse me, that was representative of the low range
12 for TCE.

13 Q Low range?

14 A There is no single value for a chemical.

15 Q Now, what did the 3.8, how was that related to the
16 speed of water?

17 A That means that the velocity of the chemical -- Let me
18 do it the other way. If you take a velocity of the water
19 and divide by 3.8, you get the velocity of the chemical.

20 Q So, correct me if I am wrong, the 3.8 means TCE moves
21 3.8 times slower than the velocity of the water?

22 A That is right.

23 Q Are there other physical factors working on chemical
24 transport other than velocity and the stickiness of the
25 chemical as it is passing through the media?

1 A Physical forces?

2 Q Yes.

3 A There would be dispersion.

4 Q Dispersion is another force?

5 A It is a phenomenon, yes.

6 Q Now, you don't know, you don't know the magnitude of

7 dispersion; is that right?

8 A The magnitude is a reflection of the general direction

9 of velocity or uncertainty in the velocity direction field.

10 That is a lousy technical jargon term, but it is a measure

11 of the mixing. If you, you may remember the skier or the

12 science museum experiments. That represents the process

13 of dispersion. It is generally not well known, because it

14 is being measured in the laboratory and being measured in

15 the field, and the numbers don't exactly agree.

16 Q And you are familiar with some of the people doing

17 research in the area of dispersion?

18 A Some of them.

19 Q Who are they?

20 A There is a group of people doing that work at the

21 Waterloo, University of Waterloo, John Cherry, a group working

22 at Stanford in conjunction with John Cherry who is a project

23 -- they are doing field determination of dispersivity,

24 dispersivity is the term which Lynn Garfield of MIT is

25 looking at some of the statistical aspects of dispersion.

1 There are, I am sure there are others
2 I can pull out some reference book if you need more names.

3 Q Well, you are familiar with your book, groundwater?

4 A Oh, yes.

5 Q With Freeze and Cherry?

6 A Yes.

7 Q And they have a section on dispersion; is that right?

8 A Yeah.

9 Q You have a copy of the book?

10 A Yes.

11 Q All right.

12 Page 399, actually the section starts on 397,
13 but Page 400, they discuss some of the people doing work in
14 the field on dispersion and some of the results of their
15 studies; is that right, Page 400, third paragraph?

16 A Yes.

17 Q Who are those people?

18 MR. KEATING: Could I take a look at this?
19 Do you mind if I look over his shoulder?

20 MR. SCHLICHTMANN: Why don't you look over
21 Dr. Guswa's shoulder.

22 MR. KEATING: Which paragraph?

23 MR. SCHLICHTMANN: Third paragraph down.

24 Q Who are those people?

25 MR. KEATING: Just let me take a look at it.

1 I am sorry, excuse me. It is the old problem,
2 your Honor. I object to it.

3 THE COURT: Overruled.

4 A The reference is to Pinder, 1973, Konikow and Bredehoeft,
5 1974, and Robertson, 1974. All those people are actually,
6 I don't know, Konikow and Bredehoeft were doing the work
7 for the Geological Survey.

8 Q Dr. Pinder was, too?

9 A I don't know in 1973 if he was or not or if it was
10 consultant work. It is the Long Island study. I don't know
11 if he did it at Princeton.

12 Q You are familiar with the study?

13 A Yes.

14 Q Now, you haven't done any work in the field of dispersion?

15 A In terms of designing experiments, I did it in the same
16 way that these people have. I used dispersion in mathematical
17 simulation model. That is all it says here. That is all
18 they did. These are values at longitudinal dispersivity as
19 large as 100 meters and lateral dispersivity values as
20 large as 50 meters have been used in mathematical simulation
21 studies of the migration of large contaminant plumes in
22 sandy aquifers.

23 I will tell you now, I know in 1973 Dr. Pinder
24 did not measure dispersion. It was the parameter in his
25 model. I will tell you the other two do it the exact same

4
1 way that I used values; they did not make dispersion measures.
2 Jack Robertson, in the facility of the Idaho Nuclear Test
3 Facility did the exact same thing. He used range of values.
4 He did not make measurements. If you want to use measurements
5 you can go to Walton.

6 Q Page 104, don't they discuss the fact that Dr. Pinder
7 and others use numerical models and simulations to make
8 determinations of dispersivity?

9 MR. KEATING: I object. I think you know
10 the grounds.

11 THE COURT: Yes. I overrule it.

12 MR. KEATING: Can I look over his shoulder?

13 THE COURT: Sure.

14 (Pause.)

15 MR. KEATING: Is there a question? I can
16 read this while he answers the question.

17 MR. SCHLICHTMANN: I think he answered the
18 question.

19 A No, I haven't. What was the question?

20 Q On Page 104, did they discuss the fact that Dr. Pinder
21 and others have published in the field of use of numerical
22 models and simulations, making determinations of dispersivity,
23 is that what they are discussing there?

24 A Wait a minute.

25 That is not what that says.

G
MC/jm

1 Q Well, what does it say?

2 A It says, "Other numerical models have been developed
3 by Reddell and Sunada, Bredehoeft and Pinder, Pinder,
4 and Schwartz."

5 Then it goes on, "The simulations presented
6 in 9.10," but that is after two individuals, Pickens and
7 Lennox, it has nothing to do with Pinder, Pinder and
8 Bredehoeft, or Sunada.

9 Q What is Pinder doing in there?

10 MR. KEATING: I object, your Honor.
11 That is a good question.

12 THE COURT: It is a good question, but
13 I think we have to have the author of the book.

14 The objection is sustained.

15 Q I will let him know he doesn't belong there.

16 Now, when we are trying to determine
17 how fast a contaminant moves through a porous media,
18 we can't be concerned just with the average flow of the
19 contaminant but the porous media, can we?

20 A That's correct.

21 Q Because in any porous media in the field in life,
22 the hydraulic conductivity, you can figure out averages
23 for an area but there are things known as heterogeneties,
24 right?

25 A Corect.

1 Q Heterogeneity is the fact that a natural formation
2 for different factors is going to have different hydraulic
3 conductivities at different layers and at different
4 places in that formation?

5 A That's right.

6 Q Because of that -- One of the reasons is because
7 just the percent of one particular type of material like
8 sand and another particular material like silt or another
9 particular material like gravel, just the percent that
10 mixed together can have an effect on hydraulic conductivity,
11 water moving through there; is that right?

12 A I hate to ask that, but could you read it back?

13 Q Let me say it again. There is no reason to have it
14 read back.

15 Isn't it true that hydraulic conductivity
16 contrasts as large as an order of magnitude or more can
17 occur as a result of almost unrecognizable variations in
18 grain size characteristics? For example, a change of
19 silt or clay content, of only a few percent in a sandy
20 zone, can have a large effect on the hydraulic conductivity.
21 Would you agree with that statement?

22 A I would agree with that statement.

23 Q And these differences in a heterogeneous mixture
24 of material are ubiquitous and widespread?

25 A Yes.

1 Q Now, at the Grace site, the Grace site isn't one lump
2 of homogeneous material, is it?

3 A That is correct.

4 Q The Grace site is a lump of heterogeneous material?

5 A Yes.

6 Q In fact, Mr. Maslansky has described it as not a
7 lump but as a formation that is heterogeneous not
8 homogeneous?

9 A Yes.

10 Q You would describe it as a formation that is
11 heterogeneous, not homogeneous?

12 A Yes.

13 Q You would agree in your science there are very few
14 physical parameters which can have as wide a variation
15 of orders of magnitude than hydraulic conductivity?

16 A Could you read that one, again?

17 Q Let me try it again.

18 A It sounds like we are getting very technical. I would
19 like to hear it.

20 (Question read.)

21 A I think that is a fair statement.

22 Q And, in fact, Dr. Freeze and Cherry discussed this
23 very topic in the book, hydraulic conductivity can have
24 13 orders of magnitude differences?

25 A Yes.

1 Q That is a tremendous amount, isn't it?

2 A Thirteen orders for the total ranges of materials
3 that exist in the world.

4 Q Exactly.

5 Now, when you are trying to determine
6 contaminant flow, you have to take into account that not
7 only the average flow of water through a system but the
8 fact that the water also is going to go in the path of
9 least resistance and some part of that water is going to
10 move very fast in those small scale heterogeneities
11 where the hydraulic conductivity is much greater than
12 in other areas?

13 A It is not exactly that simple, but that is a fair
14 representation.

15 Q And that concept of the movement of water at different
16 speed through a heterogeneous material and the fact that
17 contaminants are going to follow not only the average
18 but they are also going to be following along with the
19 water in those small scale heterogeneities, that fact is
20 called fingering; isn't it?

21 A Yes.

22 Q And the reason it is called fingering, I can probably
23 -- (Mr. Schlichtmann looks through the charts.)

24 A I think it is up front.

25 Q Yes, the one from the textbook.

5 1 A I think yesterday it was down toward the left-hand
2 side.

3 MR. KEATING: Is this one of ours,
4 Mr. Schlichtmann?

5 MR. SCHLICHTMANN: No, it is one of mine.
6 (Mr. Schlichtmann looking through the chalks.)

7 Q Here we are. You can see that from here?

8 A Yes, I am familiar with it.

9 Q That is an example of the fingering effect?

10 A Yes.

11 Q All right.

12 Let me just show that to the jury.
13 It is not necessary for you to come up if you don't want to.
14 That is average flow. Do you have the page?

15 A I will find it.

16 Q 398.

17 A Actually, I think I will come up, just to protect
18 my interest here.

19 398, you say?

20 Q Yes.

21 The top diagram is average flow of a
22 contaminant through a porous media, is that right?

23 A Yes.

24 Q And the next one shows the fingering effect?

25 A Yes.

6

1 Q And so does the next one?

2 A Yes.

3 Q And this fingering effect, these Ks mean there are

4 different hydraulic conductivities in this porous media?

5 A Yes.

6 Q And because there are these small scale heterogeneities

7 with different conductivity, you are going to have different

8 movements?

9 A Yes.

10 Q That is very good. That is all I wanted to point out.

11 Could we have that marked just for the record, P-910.

12 Now, on the Grace site, in doing your

13 test and Mr. Maslansky doing his test, he found a wide

14 range between permeability at different places on the

15 site, hydraulic conductivity?

16 A I think his range was about .01 to maybe 10 feet per

17 day.

18 Q Right. When it goes to K values, that range went

19 from, I think, .3, K values, now, up to 46 feet a day?

20 A Oh, from the slug test data?

21 Q Yes.

22 A Yes. That is the same thing, permeability.

23 So the slug tests were .3 to 46, and the other analysis

24 on the grain size and such was .21 up to 46.

25 Q That is a tremendous range, isn't it?

1 A That is a normal range.

2 Q Well, it is a normally large range. You expect to
3 find that in the field?

4 A Yes.

5 Q A tremendous range like that?

6 A Yes.

7 Q And there is -- and that's an indication to you,
8 as a scientist, that on this formation, which is
9 heterogeneous, there can be wide variations in the
10 hydraulic conductivity in that formation?

11 A Yes.

12 Q And the only way you can determine where exactly
13 they are is you've got to drill a well down and you've
14 got to do a test in that area, either a slug test or
15 pump test, to determine what is that hydraulic conductivity
16 right in that area?

17 A Yes.

18 Q And if you drill another well right into that area
19 and you do another test because of this heterogeneity,
20 small scale heterogeneities and the effect the K values --
21 you can do another well test and that K value can be
22 different than the other K value; isn't it?

23 A Yes.

24 Q In fact, Mr. Maslandky encountered that when he did
25 his test on the Grace site?

1 A Yes.

2 Q Those can be wide ranges?

3 A That is why he did so many tests, yes.

4 Q He didn't put wells every square foot of that site,
5 did he?

6 A It seemed that way, but, no, he didn't.

7 Q Now, getting back to chemical transport. You made a
8 calculation about the travel time of TCE?

9 A Yes.

10 Q And would you just tell us, you made -- What were the
11 elements of that calculation? You made a calculation
12 about retardation?

13 A Yes.

14 Q You made a calculation about dispersion?

15 A Dispersivity, yes.

16 Q And you made a calculation about water velocity?

17 A Yes.

18 Q And you put that altogether and came to a travel time
19 of TCE, am I right?

20 A Came to a -- Yes, a travel time or a distance it would
21 have traveled in a certain amount of time.

22 Q All right.

23 And how far, TCE, according to your
24 calculations, with a retardation factor of 3.8, do you
25 know what the dispersion coefficient was, do you know that?

10 Q No, I wouldn't do that.

25

1 A I wrote it down on one chart.

2 MR. KEATING: Do you want him to have the
3 chart?

4 MR. SCHLICHTMANN: If he wishes. Maybe he
5 has the value.

6 (Pause.)

7 A I wrote up on the upper right-hand corner for all three.
8 I am trying to remember which exhibit I wrote it on.

9 THE COURT: I made a note on that. Do you
10 want to rely on it?

11 THE WITNESS: Sure.

12 THE COURT: My notes say TEC was 750 feet
13 in 11 years, a thousand feet in 19 years, and 1,100 feet in
14 25 years.

15 THE WITNESS: That is it, yes.

16 Q I am sorry, what was that, 750 feet?

17 A Seven hundred fifty.

18 THE COURT: In 11 years, a thousand feet
19 in 25 years, 19 years rather and 1,100 feet in 25 years.

20 Q What did you use as your gradient for that area?

21 A The gradient was based upon the calibration of the
22 groundwater flow model, so it was gradient that existed in
23 November of 1985, and it would be at varied, different
24 gradients over different segments of the travel path.

25 Q Do you know what the average was?

1 A Well, the average would probably have been -- I don't
2 know how to average something that averages spacially. It
3 curves like this. It is steep at Cryovac and steep east and
4 flattens out again. I am not sure average is the appropriate
5 way to look at it.

6 Q Didn't Mr. Maslansky average, give a value for the
7 gradient from the trench area right behind the Grace
8 building to the southwestern boundary of the Grace site.
9 Didn't he do that in his report?

10 A That is what he did, yes.

11 Q Did you accept that. You don't accept that value, .073.

12 MR. KEATING: It is not a question whether
13 he accepts it. He said to average his own value---

14 MR. SCHLICHTMANN: Is there an objection?

15 MR. KEATING: There is an objection.

16 THE COURT: Sustained.

17 Q Well, is Mr. Maslansky's average gradient an acceptable
18 figure for you for the area that Mr. Maslansky discussed
19 in his report?

20 A No. I think what we went through this morning shows
21 you that, the sort of variation. The averages are useful
22 for some application. We were subdividing the area into
23 small blocks, each of which block had its own gradient,
24 depending upon the hydraulic conductivity and water level.
25 The ultimate gradient results from the flow calibration.

1 It is---

2 Q Do you know -- You don't know what the average gradient
3 is?

4 A I am saying the average gradient is not an appropriate
5 way to do our travel time calculation because we are looking
6 at travel in very small segments which are governed by the
7 permeability of the material in that segment as well as
8 the gradient in that segment.

9 Q How about the porosity? Mr. Maslansky used .15.
10 Do you accept that?

11 A .15? We have used .15 as the porosity. We used
12 .25 for the bedrock and ^{0.30}~~.03~~ for the sensitivity analysis.
13 We used a whole range of porosity values.

14 Q Now, there is a formula to determine water velocity,
15 the actual water velocity through a porous media; is that
16 right?

17 A Yes.

18 Q And that is a formula too?

19 A Yes.

20 Q And that is velocity equals hydraulic conductivity times
21 gradient, divided by porosity?

22 A Yes.

23 Q And that is used in your profession to determine water
24 velocity through a coarse media?

25 A Subject to the same limitations any simple back-of-the-
envelope calculation is subject to, yes.

1 Q Well, if you use that formula, you are talking about
2 averages over an area, are you not?

3 A Yes.

4 Q Now, if you use that formula and you accept the value
5 of hydraulic conductivity of .75 and you multiply that times
6 the average gradient that Mr. Maslansky used in his report---

7 A Okay.

8 Q ---for that area of the aquifer---

9 A .037.

10 Q .037?

11 A Yes.

12 Q And that equals a number, right?

13 A Yeah.

14 Q What is that number?

15 A You will have to wait for me for a minute.

16 Q All right.

17 .02775.

18 Q And then you divide that by porosity?

19 A Yes.

20 Q And that, if you accept Mr. Maslansy's figure of
21 average porosity of .15, you come to a figure of what?

22 A .18 feet per day.

23 Q And that is how fast the water would move on a daily
24 basis. If you multiply by 365, how many feet is that?

25 A Sixty-seven feet, now -- yes, 67 feet per year.

1 Q And if we multiply that by 11 years, how far does
2 water move?

3 MR. KEATING: Under that formula?

4 THE COURT: Under that formula?

5 MR. KEATING: Not in his opinion?

6 MR. SCHLICHTMANN: Yes.

7 THE COURT: Adopting Maslansky's---

8 MR. SCHLICHTMANN: Average figures.

9 THE COURT: Which -- This?

10 MR. KEATING: That was average for a very
11 small period of part of this area.

12 THE COURT: I understand. That is what this
13 figure is. We know it is subject to all these limitations.

14 MR. SCHLICHTMANN: Yes.

15 THE COURT: You want 67 times 11?

16 A Seven hundred forty-two.

17 Q Feet?

18 A Seven hundred forty-two feet in 11 years.

19 Q Could you come up here to the jury?

20 A Wait a minute.

21 MR. FACHER: Six hundred thirty-seven, not
22 737.

23 THE WITNESS: I will do it again.

24 Seven hundred thrity-seven. My battery
25 light is on here. Seven hundred thirty-seven feet in 11 years.

1 (Pause.)

2 Q You better do it again. I have a little different
3 number. I want to be exact.

4 A Seven hundred thirty-seven.

5 Q No, I have 742.77.

6 A That is what I got the first time.

7 (Pause.)

8 THE WITNESS: .185 feet per day. 67.3 feet
9 per year times -- 743 feet in 11 years.

10 Q All right.

11 Now, would you show the jury on this cross
12 section, if we use the flow -- Mr. Maslansky, in making his
13 averages, used the area of the Cryovac site which goes down
14 to here; is that right?

15 A Just quickly, let's look at the -- We have the same
16 problem. The building is discussed in this. The building
17 actually looks like this. So let's -- That is the one.

18 Yes, from about, I think, about from here.

19 Q Yes.

20 His average gradient went from there to
21 GW-3; is that right?

22 A Yes.

23 Q And his porosity covered the same area?

24 A Yes.

25 Q And how many feet is that approximately? You can use

1 your scale.

2 A I am going to figure how to translate it.

3 Q Do you need a ruler?

4 A I have one in my briefcase.

5 Five hundred Fifty feet.

6 Q So Mr. Maslansky's average is taking place over this
7 particular area, is 550 feet. What is the distance between
8 GW-3 and S-21?

9 A Four hundred and seventy-five feet.

10 Q So what is the distance between here and S-21, approxi-
11 mately?

12 A I want to make sure I add these up together.

13 One thousand twenty-five feet.

14 Q So if we use Mr. Maslansky's figures in this equation,
15 the water from the back of the Grace plant won't have
16 gotten much past, would not have gotten much past Washington
17 Street in 11 years; is that right?

18 A That is correct.

19 Q And the ^Qvaues of the speed of water, using hydraulic
20 conductivity of .75 and using Mr. Maslansky's average figures
21 for that area, is going to equal what you say is the travel
22 time for the TCE, approximately 750 feet in 11 years?

23 A Run that by me again.

24 MR. KEATING: I can't hear you.

25 THE WITNESS: Could I have the question

1 read back?

2 (Question read.)

3 THE WITNESS: Okay. If -- Could you
4 rephrase that question, please. Smaller subsets.

5 Q All right.

6 A I am trying to anticipate where you are going, which I
7 should not do.

8 Q Don't anticipate.

9 THE COURT: Answer one question at a time,
10 Doctor.

11 THE WITNESS: Right.

12 Q Sometimes I don't know where I am going. So we will
13 stick to where we are.

14 A Yes.

15 Q If we use this formula, Darcy's basic formula of water
16 velocity speed, and use Mr. Maslansky's average figures
17 for that area as we reported, as he reported in his report---

18 A The water moves 740 feet.

19 Q In 11 years.

20 And that equals approximately the same
21 distance that you say TCE moves in 11 years?

22 A Yes.

23 Q In that area?

24 A Yes.

25 Q Okay.

9

1 THE COURT: Is it a fact TCE and water move
2 the same distance in 11 years?

3 THE WITNESS: I am glad you asked that, your
4 Honor. The Darcy law and the calculation we did on that
5 are based upon the water moving as a slope or a front.
6 What Mr. Schlichtmann referred to earlier, the dispersion
7 phenomena, is what accounts for the fact chemicals, even
8 though as a bulk they're retarded, there is a frontal edge
9 that shoots out in front because of the fingering phenomenon
10 what I calculate at the frontal edge of the plume. That is
11 why the numbers are in agreement. This dispersivity factors
12 because of this, the velocity field will shoot some of the
13 chemicals out, a small percentage, but that is the way life
14 is.

15 Q All right. So you are saying then, that the chemicals
16 that shoot out will move with the speed of water?

17 A No. It is not that simple an analogy to make. The
18 dispersivity itself is a function of velocity, and the
19 velocity will change along the path the water is moving as
20 a function of the amount of recharge that is coming in, as
21 a function of the amount of water coming in laterally, as a
22 function of the change of permeability of the material, as
23 a function of the change of porosity of the material. It
24 is not easy, it is not appropriate to make that kind of
25 simplifying assumption. I am explaining why there is no

1 inconsistency in my opinion between what Mr. Maslansky
2 calculated and what I calculated.
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

I
MC?jm

1 Q You will agree that to use his calculations and your
2 calculations, you've got TCE moving out in that front
3 now to the fingering the same speed that Mr. Maslansky
4 has worked, is that right?

5 A I don't think it is an appropriate comparison,
6 Mr. Schlichtmann. I will agree what I have defined to be the
7 front of the plume is the same as Mr. Maslansky's
8 calculation of the average work velocity.

9 THE COURT: This average gradient figure,
10 to what extent -- Mr. Maslansky's average gradient figure
11 that is here, to what extent does that differ from what
12 you feel should be the appropriate gradient?

13 THE WITNESS: Well, as a matter of fact,
14 the two calculations I did this morning, we would revise
15 the gradient to be no lower than what Mr. Maslansky had
16 at the front edge of the property because we went through
17 and calculated the .04 compared to .037, but one point was
18 up .08 and .09. It would be in faster in response to that
19 gradient.

20 THE COURT: It would be faster.

21 Now, when you have made your calculation
22 about TCE going 750 feet in 11 years, I take it you used
23 a different gradient figure than the one that Mr. Maslansky
24 used?

25 THE WITNESS: Yes.

2

1 Q (By Mr. Schlichtmann) Are there parts of that site
2 that go .037, has a .037 gradient?

3 A I'm sure there are. It depends upon how far apart
4 you measure the water levels.

5 Q There are parts of the site that TCE will move at the
6 same speed as water?

7 A No, that is an inappropriate characterization.
8 TCE doesn't move at the same speed as water. We are
9 talking about the speed of water being a bulk volume of
10 water as if you consider a cubic foot of water moving down.
11 Let's get out the Freeze and Cherry book, again. I'll
12 show you exactly what the effect of dispersion is, if I
13 may?

14 Q Please.

15 A Could we have a board, please?

16 What I am going to do is trace this
17 figure, Figure 9.2 from the Freeze and Cherry text, which
18 explains how dispersion and the velocity of the chemicals
19 or velocity of water are related.

20 And we are making a simplified assumption
21 that we are looking at flow in one direction only for the
22 purpose of this illustration. I'm going to call it
23 dispersion effects.

24 I'm going to label it "nonreactive species."
25 That means -- this represents -- I'm doing that to show

3 1 basically how dispersion effects something that would move
2 at the exact same speed as water, that is, not retarded,
3 and then we will show one that shows the effect of a
4 retarded species. I will try to be true to the illustration
5 here, but I might, I hope, simplify it so it is easier
6 to understand for the jury.

7 The scale on the left-hand side here
8 represents percent of relative concentration. That is,
9 the concentration of the chemical we would calculate versus
10 the concentration that would exist right here at the source.
11 So if, for instance, we had a concentration of 100 at the
12 source, then wherever we had the concentration, its
13 position would be plotted somewhere along this horizontal
14 access, but at this elevation representative of .1, meaning
15 one one hundredths of the source concentration.

16 Now, first we have a line which represents
17 the average water velocity. That was the number we were
18 calculating earlier, hydraulic conductivity times the
19 gradient divided by the porosity. So if we just put water
20 in, and it's coming in in this direction -- I'm going to
21 show it over here going in this direction at time T_1 . That
22 means sometime after we started putting the water in,
23 the water is just moving as a steady front right through
24 here, we would say the front of that water we are putting
25 in is located right here, and it is a sharp vertical front.

(Writing).

Now, if we put a chemical in that water, and this chemical is not retarded, in fact, moves at the same velocity of the water, that is, it is not absorbed and has no stickiness factor to put onto the soil, the chemical is not going to occur other than immediately at the location we put it in, it is not going to exist as a sharp front because some of the chemical, as Mr. Schlichtmann was pointing out, will go in -- follow the water going through the faster zone and some follow the water going through the slower zone and gets what we call a dispersed front. This is where the dispersion coefficient comes from. It gets spread out a little bit. And the way we represent that, I'll use a different color (writing on the chalk). Like that. Can you see that black line? Let me just kind of -- I think you nodded your head, but I'm not sure (drawing on the chalk).

Now, that black line represents what the chemical concentration would look like at time T1 over this zone, this as a distance, also, a tube or a pipe that we are moving through. What that concentration would look like over this zone. And the lower end of the zone, we will call this the mixing zone, at the lower end of this mixing or dispersed zone, we would get this characteristic backward S shaped curve. Within the mixing

5

1 we would get this characteristic backwards S shaped
2 curve. At the low end the concentration is still equal
3 to the concentration that was put in. At the front end
4 it is a very small percentage of the concentration that's
5 put in. But it's dispersed out in front.

6 This point right here, the average
7 velocity point, is where the concentration of the
8 chemical, the 50 percent concentration exists, and that
9 represents and that coincides with the distance that the
10 bulk -- that the water moved corresponds to the distance
11 where we put the 50 percent concentration of the chemical.
12 So that for a chemical that is not retarded, if we look at
13 this location, look down into the ground, the concentration
14 would only be 50 percent of what we had at the source area.
15 If we look a little bit downgradient, we would see the
16 concentration is dropping off, and if we look upgradient,
17 we would see higher concentrations in the upgradient
18 direction.

19 Now, the second illustration, and I will
20 try and keep it as simple as possible, the average
21 velocity point, this is the T1 point. Only now we're
22 looking at a chemical that only moves half as fast as
23 the water. And if that chemical were not dispersed
24 and only moving half as fast as the water, it would be
25 right here. So I'm going to label that -- I don't know,

6

1 yet. Let me think a minute (writing on the chalk.)
2 I am going to label this line here "nondispersed retarded
3 chemical front," and I'll say R equals 2.0 to represent
4 half the velocity of water.

End I
MC/jm

5

6

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

1 A So that all we have done is slowed the chemical down
2 50 percent because of retardation. And if we were to look
3 at the groundwater, all we see here is pure water. We have
4 to look up gradient, halfway back to the source area to see
5 the chemical.

6 Mr. Facher, can I borrow one of your red markers,
7 please?

8 MR. JACOBS: Here is one.

9 THE WITNESS: Thank you.

10 Now, the red I will call a dispersed,
11 retarded chemical. Again, are equal to .0. This is the 50
12 percent line. I showed the different retardation.

13 The net effect is this same dispersion
14 phenomena which indicates some of the chemical to be out
15 in front of the average position of the chemical if there
16 is no dispersion, a lag before the maximum concentration
17 arrives. The comparison I was trying to illustrate and,
18 unfortunately, I didn't draw a picture exactly to work out
19 perfectly, this number represents Steve Maslansky's bulk
20 velocity of water. This number also represents what I am
21 saying is dispersed, tapered out front, that arrives here.
22 We are comparing, however, the retardation number and its
23 respect, the velocity of chemical with respect to water, we
24 always compare that, the 50 percent concentration, and that
25 is the retardation means, that is retardation of the 50

52
1 percent concentration front compared to the bulk velocity of
2 the water. The fact we get chemicals out in front of that
3 point does not mean that we say the chemicals are moving the
4 same velocity of water; it is a function of the dispersivity
5 phenomenon., the finger phenomenon.

6 Q The retardation factor you used here was 2?

7 A For illustrative purposes, I said 2.

8 Q The trichloroethylene is 3.8?

9 A Correct.

10 Q But---

11 A The fundamental phenomenon is the same.

12 Q Now, Dr. Guswa, you will agree that Mr. Maslansy
13 included a typical gradient along the flow lines from the
14 trench excavation area to Well Cluster 3 is .037, you agree
15 he said that in his report?

16 A I agree he said that.

17 Q Now, in your profession, you do things like simplifying
18 equations; you take averages to help you understand the
19 system, don't you? It is a standard practice in your profession?

20 A Not to understand the system. Maybe to do some scoping
21 calculations to get a ball-park estimate for the system.
22 We want to understand it. Depending upon the level of
23 detail, we want to understand it. We may or may not use the
24 one-dimensional or simplifying assumption.

25 Q But, the formula we used with the average figures from

1 Mr. Maslansky, that was a simplifying assumption, wasn't it,
2 a simplifying assumption?

3 A Yes.

4 Q That is standard practice, isn't it?

5 A I think I explained how it is used as standard practice.

6 Q Well, now, Dr. Guswa, when I asked you on January 22 at
7 your deposition---

8 A Yes.

9 Q ---as to how fast contaminants or chemicals in the
10 groundwater move---

11 A Yes.

12 Q ---I said, "What would you have to know to do that?"

13 A Yes.

14 Q You remember that?

15 A Yes.

16 Q And you said, "Well, you would have to know what the
17 chemical is you are looking at and how it physically,
18 chemically and biologically, and what the physical, chemical
19 and biological process that act on it as it moves through
20 the ground." Do you remember that?

21 A Yes.

22 Q Now, you didn't know then, the magnitude of those
23 processes; is that right?

24 A That is probably true, yes.

25 Q That is what you told me?

1 A Right.

2 Q And when you meant that, you meant the processes such
3 as chemical, biological and physical such as dispersion,
4 that would all affect it?

5 A Yes.

6 Q That is what you were referring to when you said you
7 didn't know the magnitude?

8 A Yes.

9 Q And I asked you your opinion as to how those things
10 affect trichloroethylene in the groundwater, and what
11 you said was that the limit of your understanding of those
12 things is that physical dispersion would tend to reduce
13 concentration.

14 A That is correct.

15 Q And then I asked, "Do you have an opinion then, as to
16 how trichloroethylene was affected in the groundwater in
17 this case?" And you didn't have one, did you?

18 A What did I say?

19 Q No.

20 A Then I didn't.

21 Q You didn't.

22 Then I asked you, "You had not done the work
23 if you intended to do the work to determine the specific
24 details of measuring those particular properties." Do you
25 remember me asking you that?

1 A I guess I do.

2 Q What you said was you didn't intend to make measurements
3 of the specific details of those particular properties;
4 is that what you told me?

5 A Correct.

6 Q And then I said, "How can you form an opinion if you
7 don't have that specific information?" And what you told me was
8 "Well, you can use some simplifying assumptions, standard
9 practice." Is that right?

10 A If I said that, I said that.

11 Q Well, is that what you said?

12 MR. KEATING: Can I take a look over his
13 shoulder. You ought to read the whole answer, Mr. Schlichtmann.

14 THE WITNESS: One assumption might be---

15 Q Read the question.

16 A I am sorry.

17 "Why can you still form an opinion if you
18 don't have that information?"

19 "Well, you can use some simplifying assumption,
20 standard practice. One assumption, and please pardon my
21 grammar, one assumption might be let's assume nothing
22 happens to TCE as it moves to the ground. Look at travel
23 time for the conditions when nothing happens to it."

24 MR. FACHER: Slow down.

25 THE WITNESS: "Look at travel time for the

1 conditions when nothing happens to it. Look at the conditions.
2 I am not familiar with the information, but there are, I
3 believe, reports available that talk about those kinds of
4 factors that affect TCE. So there are reaction rates that
5 could be incorporated into the analysis. And so you might
6 say, let's say there is an effect of a 10 percent reduction
7 in the travel time because of absorption. Let's suppose
8 there is a certain amount of biodegradation that is going
9 on."

10 Q You can go to the next page.

11 A "It sounds exciting to me, actually.

12 "I don't know what those numbers are. Those
13 numbers can be incorporated and those are typically done
14 either with what might be called a sensitivity analysis, which
15 you heard before, or a bracketing time analysis when there
16 is form that affects the transport but which is not readily
17 measurable or interpretable. You bracket the range of
18 conditions likely to expect, calculate travel time for each
19 of the alternate areas, and on the basis of that form an
20 opinion on what would be the most likely condition to exist."

21 Question: "Do you intend to make simplifying
22 equations?"

23 Answer: "I intend to do bracketing-type
24 analysis."

25 Q That is a simplifying equation?

1 A The bracketing I did what was the three-dimensional
2 flow model, so it is not a simplifying assumption in that
3 way.

4 Q Well, didn't you tell me that the reason you weren't
5 going to get those specific details of measuring those
6 particular properties was that, "It is not necessary because
7 the values you measure at one location may not be for another
8 location, and so I don't know."

9 "How many points do you measure?"

10 "I don't know how many points to measure
11 would be necessary to make those determinations."

12 A That is correct.

13 Q Is that what you said?

14 A Yes.

15 MR. SCHLICHTMANN: This is probably an
16 appropriate time for the break.

17 THE COURT: I think it would be about time
18 to take a break.

19 (Break.)
20
21
22
23
24
25

1 THE COURT: Is it probable, yes or no?

2 THE WITNESS: You are asking me the question?

3 THE COURT: I am asking the question.

4 THE WITNESS: Probable? I think it is a
5 probable source. It is a probable possibility; it is a
6 probable---

7 THE COURT: No, no.

8 MR. SCHLICHTMANN: That is good enough.

9 I am asking you flat out.

10 THE WITNESS: Flat out?

11 THE COURT: In your opinion, if the
12 explanation that Mr. Schlichtmann has presented to you is,
13 in your opinion, a probable explanation of the result that
14 you see?

15 THE WITNESS: And the question was phrased to
16 the north and to the east with no particular, specific
17 locations; is that correct?

18 MR. SCHLICHTMANN: Yes.

19 THE COURT: Northeast and west.

20 MR. SCHLICHTMANN: Northeast and west.

21 THE WITNESS: Yes, that is a probable source.

22 THE COURT: All right.

23 Q Now, Dr. Guswa, you were given a copy of Dr. Pinder's
24 three-dimensional model of the aquifer, am I right about that?

25 MR. KEATING: I object to the characterization

1 of what that was. He can ask what he got but I think---

2 THE COURT: I will sustain the objection.

3 MR. KEATING: Thank you.

4 MR. SCHLICHTMANN: On that ground

5 THE COURT: Yes.

6 Q Did you get from Dr. Pinder, Dr. Guswa, a model of the
7 aquifer?

8 MR. KEATING: I object, your Honor. This is
9 not the basis of Dr. Pinder's opinion. It was not introduced
10 into evidence.

11 MR. SCHLICHTMAN: Are you making an argument
12 or objection?

13 MR. KEATING: I will make it at the Side
14 Bar.

15 THE COURT: I will sustain the objection to
16 the question in that form.

17 Q Dr. Guswa, were you, did you analyze or did you receive
18 materials which Dr. Pinder used in analyzing the East Woburn
19 aquifer?

20 MR. KEATING: I object, your Honor.

21 THE COURT: Did he receive materials? How
22 can he tell? How can he tell what he is, he received.

23 Did you receive some material from Dr.
24 Pinder? I suppose we have to start with that.

25 THE WITNESS: Yes.

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

THE COURT: All right.

Q And the materials that you received were these
(indicating), weren't they?

MR. KEATING: Your Honor, I renew the objection.

THE COURT: Overruled. Were those the
materials you received?

THE WITNESS: Your Honor, I received similar
materials. I don't know if these are exactly the ones we
received. Some form in February, we also received some
form in April or May, which was different from what we got
in February. And I don't know whether this is the same or
different from either of those.

THE COURT: All right.

O
MC/jm

1 THE COURT: All right. Was it in that
2 form, in the form of the printout it is in now?

3 THE WITNESS: In that form.

4 THE COURT: All right. So you have two
5 patches and they were different in terms of the content,
6 is that right?

7 THE WITNESS: Yes.

8 THE COURT: All right. But both in the
9 same form?

10 THE WITNESS: Both in the same form in
11 that they were on computer paper.

12 THE COURT: All right.

13 Q Now, could you examine those and tell me if that's the
14 stuff you received or looks like the stuff that you
15 received?

16 A Mr. Schlichtmann, I'll tell you whether it is
17 generally similar, but I won't tell you it is exactly.

18 THE COURT: Should we take a week's
19 recess?

20 Q All right. It looks generally similar?

21 A The same green and white paper with lots of numbers
22 on it.

23 Q What is that? What was that stuff?

24 MR. KEATING: I renew the objection,
25 your Honor. I don't want to ask for a conference, but you

2

1 know the grounds.

2 THE COURT: What was that stuff?

3 I will allow that.

4 Q (By Mr. Schlichtmann) What were these things that
5 you got?

6 A These were results or outputs, and I think in
7 April or May we even got some input for a computer code
8 called PTC.

9 Q And what was it?

10 A PTC is the Princeton Transport Code.

11 Q What is the Princeton Transport Code?

12 MR. KEATING: Your Honor, I object.

13 This isn't what he relied on. Dr. Pinder didn't, and I
14 do not know why we have to have it with this witness.

15 THE COURT: I don't know what the
16 question is.

17 MR. KEATING: I don't know what the
18 question is, but I know what the thrust of the questions
19 are.

20 THE COURT: I don't know what it is,
21 so I will have to wait and see. I will permit this
22 question.

23 A My understanding is that the Princeton Transport
24 Code is a code which is being developed at Princeton
25 University to evaluate the groundwater flow in chemical

3

1 transport.

2 Q How many spaces?

3 A Pardon?

4 Q How many species?

5 A Three dimensions.

6 Q So, Dr. Guswa, you received from Dr. Pinder this
7 three-dimensional model, did you not?

8 MR. KEATING: Your Honor, I object to
9 that. That is absolutely irrelevant.

10 THE COURT: Well, I think it could be
11 put into a question. I don't know where it is going.

12 Does that thing represent all this stuff
13 that you got, does that represent a three-dimensional
14 model?

15 A I believe this is the input that we have here, which
16 I think we received in April or May. There were several
17 outputs, but there was no description of what level
18 of calibration or testing or evaluation that represented.

19 THE COURT: Well, I'm sure that is so,
20 but that is not my question.

21 THE WITNESS: All right.

22 THE COURT: Are these things components of
23 a three-dimensional model?

24 THE WITNESS: The first set were the
25 output components and the second set, I think, are input

4

1 components, but I haven't verified that because I didn't
2 have time to do that.

3 Q (By Mr. Schlichtmann) Well, Dr. Guswa, is it not a
4 fact that these were provided to you the first time in
5 January, 1985, and the second time February of 1985,
6 and the third batch, the third set from the three-
7 dimensional model was given to you in March; isn't that
8 correct?

9 MR. KEATING: I object.

10 THE COURT: Excluded.

11 Q (By Mr. Schlichtmann) Dr. Guswa, is it not --
12 Would you please read to the jury --

13 MR. KEATING: '85 or '86?

14 MR. SCHLICHTMANN: I meant '86.

15 MR. KEATING: I still object.

16 MR. SCHLICHTMANN: I imagine it is still
17 sustained.

18 Q Would you read to the jury this statement (indicating).

19 MR. KEATING: Your Honor, I object to
20 that.

21 THE COURT: Sustained.

22 Q Well, Dr. Guswa --

23 THE COURT: That thing has not been
24 identified.

25 Q Would you please examine that?

5

1 MR. KEATING: I object, your Honor.

2 Goodness.

3 THE COURT: What?

4 MR. KEATING: I object.

5 MR. SCHLICHTMANN: This is on goodness.

6 MR. KEATING: My objection is against
7 what is going on now. I object, sir.

8 THE COURT: The question is or the
9 direction is to examine the document. I will permit him
10 to examine it.

11 MR. KEATING: He asked him to identify it,
12 your Honor.

13 THE COURT: No. He asked him to examine
14 it so far.

15 A I believe this is the same document which is the
16 source code that we received in March of 1986.

17 Q And you also received one in January, 1986?

18 A We received a different version in January or February,
19 I'm not sure.

20 Q And, Doctor, this is a flow and mass transport model?

21 MR. KEATING: I object.

22 Q Three-dimensional space, is it not, sir?

23 MR. KEATING: I object, your Honor, it is
24 not in evidence.

25 THE COURT: No, it is not. Let me get the

1 relevance of it.

2
3 (CONFERENCE AT THE BENCH AS FOLLOWS:

4 MR. NESSON: Your Honor, this witness
5 on his direct examination said that any hydrologist who
6 didn't use a three-dimensional model, just did one-dimensional,
7 obviously would find the document -- hadn't done an
8 adequate job. In fact, Dr. Pinder used a three-dimensional
9 model, not as the basis but as a confirmatory --

10 THE COURT: He didn't testify about it.

11 MR. NESSON: In fact, we have before the
12 jury the output of that, which was used as an exhibit.

13 THE COURT: No, you don't.

14 MR. NESSON: With all of the flow arrows
15 on it.

16 MR. KEATING: That is one little tiny
17 bit of it.

18 THE COURT: That is clearly two-dimensional.

19 MR. NESSON: No, two-dimensional representation
20 but it is a two-dimensional representation of a three-
21 dimensional model. That is the way the arrow is shaped.

22 THE COURT: That was never indicated and
23 never testified to.

24 MR. NESSON: That is not the point.

25 THE COURT: It is clear when you have a

1 three-dimensional model, you make a projection that
2 shows --

3 MR. NESSON: There are different
4 representations. His are all two-dimensional, too.

5 MR. KEATING: Judge, how can the --

6 MR. NESSON: Excuse me. The point is
7 on cross-examination of the witness, and the witness has
8 tried to impeach another witness by saying he didn't do
9 something when, in fact, the witness --

10 THE COURT: He didn't say Pinder didn't
11 do anything.

12 MR. KEATING: He never mentioned Pinder
13 once.

14 THE COURT: He never said that.

15 MR. NESSON: He certainly suggested --

16 THE COURT: I don't think what I referred
17 to as the permanent underlying layer of paranoia here
18 is the basis for cross-examination.

19 MR. NESSON: Let me go further with the
20 argument, if I might.

21 Listen, this man himself made a model.

22 THE COURT: Who?

23 MR. NESSON: Guswa. His model, I believe,
24 will turn out to be related to Dr. Pinder's model.
25 If he had Dr. Pinder's model in front of him, if his

1 criticized results of Dr. Pinder came to -- and if he didn't
2 evaluate Dr. Pinder's model, that is a basis for cross-
3 examining the expert witness. This goes to what he did
4 as a means of forming his opinion.

5 THE COURT: He said there is something
6 missing.

7 MR. SCHLICHTMANN: On the transport,
8 your Honor, Mr. Facher used material not put into evidence.
9 I objected, and I said he can't read from the document.
10 And you said sure he can. That is what you said. And he
11 did it many times. Mr. Keating and Mr. Facher read from
12 documents.

13 THE COURT: This kind of argument doesn't
14 appeal to me a damn bit, that somebody did something and
15 therefore it has to be done again.

16 MR. SCHLICHTMANN: The principles apply
17 to both sides.

18 THE COURT: Maybe they do. And it may
19 well be that I made a mistake. It doesn't mean that I
20 should make it again.

21 MR. SCHLICHTMANN: I don't think it was
22 a mistake. It was quite proper.

23 THE COURT: It all depends upon the
24 context. You are a great one for fishing out one page
25 of a transcript, Mr. Schlichtmann, and saying see? That,

9

1 I don't think is quite the way to do it.

2 Now, I still don't get what you want to
3 put it in for.

4 MR. SCHLICHTMANN: I asked the witness
5 if it is a three-dimensional model. That is the one
6 I reviewed. The statement on its face says it is a three-
7 dimensional model. He denied it.

8 THE COURT: He hasn't.

9 MR. KEATING: He has not denied it.

10 MR. SCHLICHTMANN: Then have him
11 admit it.

12 THE COURT: The point is relevance.

13 MR. SCHLICHTMANN: It goes to whether
14 the quality of the work that Dr. Pinder --

15 THE COURT: The quality of the work that
16 Dr. Pinder did, it is up to Dr. Pinder to put that in.

17 MR. SCHLICHTMANN: Mr. Keating will be
18 arguing to the jury in his summation that Dr. Guswa
19 used a three-dimensional model and he did a better job.

20 THE COURT: Dr. Pinder did not testify
21 from the three-dimensional model.

22 MR. SCHLICHTMANN: He used it to illustrate
23 his opinion and --

24 THE COURT: Show it to me.

25 MR. SCHLICHTMANN: All right.

10

1 MR. NESSON: To illustrate and confirm.

2 THE COURT: Show it to me.

3 If you are talking about the Pac Man diagrams.
4 I remember them so you don't have to get those out.

5 MR. SCHLICHTMANN: No, right here.

6 (Mr. Schlichtmann hands a transcript to the Court, Volume
7 39, Pages 90 and 91.)

8 THE COURT: I remember him saying this,
9 and that is how he says he generated what Mr. Facher or
10 somebody has referred to as the Pac Man diagrams, the ones
11 with the little --

12 MR. SCHLICHTMANN: That could only come
13 from a three-dimensional --

14 MR. KEATING: Bring him back and put him
15 on the stand.

16 MR. SCHLICHTMANN: That is what he said.
17 It is the computer printout. This is what it is.
18 It states it, and I want to establish it on the record.

19 THE COURT: It doesn't state it. He
20 didn't state it, and all I have is you stating it.
21 If you want to bring him back on rebuttal, all right.

22 MR. SCHLICHTMANN: The witness has
23 identified this document. It states on its face, and I
24 want to --

25 THE COURT: I don't care what you want to do,

11

1 I will not let you.

2 MR. SCHLICHTMANN: I want to impeach the
3 witness for using the statement. I have a right to do that.

4 THE COURT: I don't think you do under
5 the circumstances when the objection is sustained.

6 MR. SCHLICHTMANN: Thank you.

7 END OF CONFERENCE AT THE BENCH.)

8

9 Q (By Mr. Schlichtmann) Dr. Guswa --

10 THE COURT: For the record, the transcript
11 pages that you were showing me should be stated.

12 MR. SCHLICHTMANN: Volume 39 Page 90
13 and 91.

14 THE COURT: Because there was some difference
15 of opinion as to what they say, so we should know for
16 purposes of later consideration exactly what pages you
17 were referring to.

18 Q (By Mr. Schlichtmann) Dr. Guswa, when did you
19 provide your three-dimensional model to Dr. Pinder?

20 MR. KEATING: I object. It wasn't
21 requested, and I think that is a totally improper question.

22 THE COURT: Well, a simple objection will
23 do, Mr. Keating.

24 MR. KEATING: I simply object.

25 THE COURT: All right. The objection is

12

1 sustained.

2 Q Dr. Guswa, did you ever provide your three-dimensional
3 model that you used in this case to any consultant or
4 any expert outside of Geotrans for analysis for evaluation?

5 A No.

End O
MC/jm

6

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

1 Q Did you analyze and evaluate computer output and input
2 that was provided by Dr. Pinder?

3 MR. KEATING: Objection.

4 Q Did you evaluate or analyze it?

5 MR. KEATING: I object, your Honor.

6 THE COURT: Did he evaluate the computer analysis
7 or computer document?

8 MR. SCHLICHTMANN: Yes.

9 THE COURT: I will permit that, objection is
10 overruled.

11 A Repeat the question, please.

12 Q Did you evaluate or analyze computer input or output
13 Dr. Pinder provided?

14 A I tried to, yes.

15 Q Were you successful?

16 A Some areas, yes; some areas, no.

17 Q You weren't able to put it all together?

18 A Not to put it all together, no.

19 Q Are you aware in your analysis of this document, were
20 you able to determine if, in fact, Dr. Pinder was able
21 through this computer input and output, to determine the
22 groundwater flow from the Beatrice site to Wells G and H?

23 MR. KEATING: Objection.

24 THE COURT: Sustained.

25 Q To your knowledge, does this computer output and input,

1 based on your analysis and evaluation, does that provide the
2 groundwater flow from the Beatrice site to Wells G and H?

3 MR. KEATING: Objection.

4 MR. FACHER: Objection.

5 THE COURT: Overruled.

6 A I don't know.

7 Q Have you ever seen this exhibit?

8 A Yes.

9 Q You did?

10 A Yes.

11 Q Do you understand this is output, this was prepared
12 by Dr. Pinder?

13 A Yes.

14 Q It is an illustration of his testimony?

15 A Yes.

16 MR. KEATING: I object, your Honor.

17 Q And, in your opinion as a hydrogeologist in examining
18 this diagram, does that diagram indicate the groundwater
19 flow in Dr. Pinder's opinion from the Beatrice site to the
20 well field?

21 MR. KEATING: I object.

22 THE COURT: Dr. Pinder has given his opinion.
23 I don't think it is appropriate to ask someone else about
24 what was the basis of, what was the standard of a prior
25 expert's opinion. The jury will have to make the

1 determination as to how they can deal with the opinions.

2 That is what they are here for.

3 MR. SCHLICHTMANN: I have no more questions,
4 your Honor.

5 THE COURT: Before we take the second round,
6 I have a question, too. I will try to be brief.

7 Doctor, you are aware that in January of 1985,
8 excuse me, 1986, as a result of, at the end of the pumping
9 test, that a chemical analysis was made of Wells G and H?

10 THE WITNESS: Yes.

11 THE COURT: And the complaint chemicals were
12 found at that time?

13 THE WITNESS: Yes.

14 THE COURT: Now, you talked about five or six
15 pathways of chemicals coming to Wells 5 and 6, I mean to
16 Wells G and H?

17 THE WITNESS: Yes.

18 THE COURT: Now, at the time of the pumping,
19 you said that they didn't, the pumping didn't last long
20 enough to bring river water, river water would take two
21 months and the pumping only lasted a month?

22 THE WITNESS: Correct.

23 THE COURT: So this contamination found in
24 January of 1986 didn't come from the river?

25 THE WITNESS: That is correct.

4
1 THE COURT: Now, is there any evidence there
2 was any infiltration or flooding of sewer systems within
3 a relevant time which would cause the contamination to
4 occur in the wells in January of 1986?

5 THE WITNESS: (Pause.)

6 THE COURT: Do you know?

7 THE WITNESS: I am not aware of any infiltra-
8 tion at that time.

9 THE COURT: So that is not a reasonable
10 explanation of the contamination in January of 1986?

11 THE WITNESS: I think, your Honor, my
12 understanding is that, my understanding of the groundwater
13 system, the chemicals in it, is that there is pervasive
14 groundwater contamination in the Aberjona River Valley
15 and it was there before the pump test started, and those
16 chemicals were in the ground before the pump test started.
17 The mechanism of the exact location of where the chemical
18 came from, I don't know.

19 THE COURT: All right.

20 Well, let's go down through your -- There was
21 no historic flooding within the relevant time period which
22 would have directly brought the chemicals to the wells?

23 THE WITNESS: Well, again, I am confused by
24 the term "relevant time period."

25 THE COURT: Okay. What would be the relevant

1 time period for determining a flooding situation in the
2 valley had, was responsible for the contamination found in
3 the wells in January of 1986?

4 THE WITNESS: If, for instance, the flood
5 of 1979 were to bring down chemicals as they, either by
6 washing out one of the lagoons or draining a ditch or barrel
7 companies, or flow in the sewer and spreading that material
8 out so, since they are pulled in the ground during 1979, they
9 could have stayed in the ground for that time period. In
10 other words, it could have been in the ground, got in the
11 groundwater system as early as '79. Some of those chemicals
12 may still be there and still leaking out, if you will, in
13 the aquifer.

14 THE COURT: You have been asked if you could
15 identify the source of the chemicals in the wells as of May
16 of 1979. I will ask you now if you can identify the source
17 of the chemicals in the wells as of January of 1986?

18 THE WITNESS: No, I can't.

19 THE COURT: Well, that was the series of ques-
20 tions I had. Do you want to start the next round?

21 MR. SCHLICHTMANN: The jury?

22 THE COURT: Do you want to do that before or
23 after? The jury seems to have some questions.

24 THE FOREMAN: There are several pages of
25 questions that have come up. I think that if the witness

1 will be back Monday---

2 THE COURT: He will be back Monday.

3 THE FOREMAN: We will pose them then.

4 THE COURT: You would rather hold to the
5 second round?

6 THE FOREMAN: Yes.

7 THE COURT: Okay. Mr. Keating?

8 REDIRECT EXAMINATION, By Mr. Keating

9 Q Dr. Guswa, let me ask you a couple of questions about
10 the bedrock, the issue that came up today concerning the
11 existence of the bedrock and the fact that part of the water
12 in the aquifer, in your opinion, I take it, moves through
13 the bedrock?

14 A Yes.

15 Q Does your model or did your model take into account the
16 bedrock, and if it did, in what respect it took in bedrock?

17 A It was one of the layers in the model. And it was an
18 approximation of the bedrock in the sense that it allowed
19 water to move through it under a low, under the permeability
20 of gradient that would exist in the bedrock. it was not an
21 exact representation of the fractures that existed there
22 because there is no form to describe exactly what those
23 fractures are. So in the sense of the way we approach things,
24 it was just a general material through which groundwater
25 could move.